

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Friday, February 17, 2023 at 10:01 a.m.

VOLUME 83

Arbitration Place © 2023
940-100 Queen Street 900-333 Bay Street
Ottawa, Ontario K1P 1J9 Toronto, Ontario M5H 2R2
(613) 564-2727 (416) 861-8720

INDEX

	PAGE
AFFIRMED: RUSSELL BROWNLEE	15709
EXAMINATION BY MR. LEWIS	15711

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
229	Images 25 to 26 about contributory factors under Driver Expectation in the Highway Safety Manual, HAM64754.	15803

1 Arbitration Place Virtual
2 --- Upon resuming on Friday, February 17, 2023
3 at 10:01 a.m.

4 MR. LEWIS: Good morning,
5 Commissioner, counsel, Mr. Brownlee.

6 JUSTICE WILTON-SIEGEL: Good
7 morning.

8 MR. LEWIS: We have with us
9 this morning Mr. Russell Brownlee, who has
10 delivered a report and, of course, he testified
11 back in April as well, with the report, his
12 Primer, if we can call it that, and if the court
13 reporter could please affirm Mr. Brownlee.

14 AFFIRMED: RUSSELL BROWNLEE

15 MR. LEWIS: And we made it an
16 exhibit yesterday, but Mr. Brownlee's report is
17 Exhibit 221, dated November 1, 2022, and the doc
18 ID is EXP192, titled Red Hill Valley Parkway
19 Highway Design and Assessment Report. And his
20 report attaches as Appendix A his March 2022
21 report he testified regarding in April, which is
22 Exhibit 16. But it is attached as Appendix A and,
23 to the extent we need at all to refer to it, we
24 can do it within the attachment to this document.

25 We covered Mr. Brownlee's

1 background and qualifications when he testified in
2 April. In the interest of time, I'm not going to
3 do that again today, but Mr. Brownlee's CV is
4 Exhibit 16.

5 As well, I don't intend to
6 take Mr. Brownlee through every last bit of his
7 report, just very much like yesterday, really
8 where there's no disagreement expressed, in the
9 interest of time, so I'll either be quick or skip
10 it and, for the most part, will focus on the parts
11 of his report for the most part where there's
12 disagreement or where clarification is required.

13 That said, Commissioner, as I
14 informed participants' counsel yesterday, there
15 are areas in relation to the responding report of
16 one of the two City's experts that responded to
17 Mr. Brownlee's report, Mr. Dewan Karim of 30FE,
18 that requires some additional looking into given
19 the short period of time between the delivery of
20 the 30FE report and evidence today. So, in the
21 interest of good order and presenting
22 Mr. Brownlee's evidence in a clear and organized
23 manner that will be of assistance to you, we are
24 not going to complete Mr. Brownlee's evidence
25 today. We'll get as far as we can and then we'll

1 return to do so, along with cross-examinations, on
2 Tuesday, February 21, after the long weekend,
3 which should still have us on schedule.

4 JUSTICE WILTON-SIEGEL: Okay.

5 Thank you.

6 EXAMINATION BY MR. LEWIS:

7 Q. And, Mr. Brownlee, before
8 we get started, I want to ask you to confirm that
9 you are aware of and understand that you have an
10 obligation to provide evidence that is fair,
11 objective and non-partisan. We didn't have you
12 sign the acknowledgement that the Rules of Civil
13 Procedure require, but I would ask you to confirm
14 that you understand that obligation today?

15 A. Yes, I understand that.

16 Q. Thank you. So, if we
17 could go to Mr. Brownlee's report, start at
18 image 3. And, Commissioner and Registrar, I'll
19 advise that the image and page numbers differ by
20 two here, so image 3 is page 1 of the report, and
21 I'll try, as I go to refer to the image and the
22 page as well, but if I don't, it's the page is two
23 less than the image that we're at.

24 So, Mr. Brownlee, in the
25 introduction there, you talk about the broad

1 categories of topics that you were asked to and
2 have opined on in your report. And were you asked
3 to perform an independent research or safety
4 analysis?

5 A. No, I was not.

6 Q. Okay. But at the same
7 time, and we'll get to this, you did attend on the
8 RHVP, on the Red Hill, on October 2, I believe,
9 2022, where you performed --

10 A. That is correct, yes.

11 Q. And you performed a
12 ball-bank test and observed the general conditions
13 on the parkway. Is that correct?

14 A. Yes. I observed the
15 operating conditions and nature of the facility.
16 I've been on it in the past, but for that specific
17 reason, and I completed ball-bank tests just on
18 the mainline of the freeway.

19 Q. And as well, you have
20 reviewed the report of Mr. Dewan Karim of 30FE
21 responding to your report. Is that right?

22 A. Yes, I have.

23 Q. And, Registrar and
24 everyone, that is Exhibit 223, which is doc ID
25 HAM64759, and we'll be going, like yesterday, to

1 that quite a bit, along with Mr. Brownlee's
2 report, so I'll refer to the Brownlee report or
3 the Karim report or the 30FE report in order to
4 signal when I want to go to it, if that works for
5 our Registrar.

6 So, Mr. Brownlee, your report
7 deals extensively with the 1985 MTO guidelines,
8 design guidelines, and when you testified in
9 April, you discussed both those guidelines and the
10 TAC manual as well. Is that right?

11 A. That is correct.

12 Q. And we know, there's no
13 dispute, that the MTO guidelines are what was
14 followed with respect to the Red Hill design
15 process, but I would like to first ask you about
16 some overall concepts just to refresh or following
17 what you said in April, but overall concept of
18 highway design and compliance with guidelines and
19 standards.

20 And the first thing is if we
21 could go to Mr. Karim's report at image 9, which
22 is page 6. Mr. Karim's report is three pages off
23 between image and page. And it's the passage
24 below, Registrar, 3.4.2. That's it. Thank you.

25 And Mr. Karim suggests that

1 design exceptions or deviations, he uses the word
2 that these are design exceptions or deviations,
3 are encouraged by the industry's professional
4 documents. Do you agree with that? Is that
5 correct, that characterization?

6 A. The design guidance and
7 whether it's called a standard or a manual or a
8 guideline does provide provisions for deviating
9 from those design requirements in that guidance.
10 It's a bit of a stretch to say that it's
11 encouraged amongst the documents. It does provide
12 that latitude, but I don't generally look at it as
13 encouragement in that we try to work outside those
14 bounds.

15 Q. Sorry, outside or inside
16 those bounds? Encouraged to work inside or
17 encouraged to work --

18 A. We're not encouraged to
19 work outside those bounds, no.

20 Q. Thank you. You can take
21 that down, Registrar. And you spoke about that,
22 this issue, when you testified in April, about why
23 a designer, facility, might be justified in
24 deviating from the MTO guide or the TAC manual,
25 for example. I'm just going to read a couple of

1 excerpts from your April 27, 2022 testimony.

2 First is at pages 238 to 239.

3 You said:

4 "Essentially within any
5 design, what's provided
6 in the manual are typical
7 and are the beginning
8 points. There is always
9 latitude for specific
10 situations and
11 combinations of
12 situations to deviate
13 from the guidance, to
14 exceed it. In some
15 cases, to sometimes look
16 at not meeting that
17 particular guideline, to
18 meet other project goals
19 and objectives and
20 constraints."

21 And then continuing on at
22 pages 239 to 240 of the transcript:

23 "There's always the --
24 and you'll see in the
25 front matter of most of

1 our engineering guides,
2 there's always guidance
3 to say, here is industry
4 good practice aligned in
5 this manual, but there's
6 always going to be
7 trade-offs, design
8 exceptions, engineering
9 judgment that needs to be
10 applied in all those
11 situations to meet your
12 project goals and funding
13 that you have and the
14 complaints you are
15 dealing with on each
16 project."

17 Is that a fair summary of your
18 view with respect to deviations and the
19 application of manuals and guidelines?

20 A. Yes. Yes, it is.

21 Q. Okay. And could you just
22 describe what sort of things can give rise to
23 deviations from the guidelines, standards, however
24 they're characterized?

25 A. It could be a wide range

1 of things depending on the project you're working
2 on, but essentially there could be property impact
3 said, topography, funding mechanisms that you're
4 working within, environmental constraints, a whole
5 host of things that your roadway is not just being
6 put on a piece of paper, it's within the real
7 world and we need to deal with those real world
8 realities in any of our designs.

9 Q. Okay. And in your
10 report, as we'll get to, you've indicated
11 instances with the RHVP where the MTO guidelines
12 has been adhered to and other instances where they
13 had been deviated from. And, as a general
14 proposition, does strict compliance with the
15 guidelines mean in and of itself that the highway
16 has an acceptable design from a safety
17 perspective?

18 A. Providing a design that
19 meets our design standards or manuals or
20 guidelines and signing it and marking it as per
21 the Ontario traffic manual and maintaining it
22 based upon the minimum maintenance standard
23 doesn't necessarily guarantee that you're going to
24 have a safe facility and that road users aren't
25 going to be travelling along that facility and be

1 able to do that in a safe manner.

2 Likewise, not meeting those
3 standards or meeting those standards doesn't
4 guarantee safety, either. So --

5 Q. Right. So, meeting the
6 standard doesn't guarantee safety, not meeting
7 them doesn't guarantee that it's not safe?

8 A. That is correct, yes.

9 Q. Right. Okay. And is
10 that the concept of nominal versus substantive
11 safety?

12 A. Yes.

13 Q. Okay. And if you could
14 just discuss the difference between nominal safety
15 and substantive? This is something Mr. Karim
16 discusses in his report.

17 A. So, nominal safety is, I
18 guess, no stranger. Back in 2009, I wrote a
19 magazine article for the Ontario Traffic Council
20 explaining the difference between nominal and
21 substantive safety. Nominal safety essentially
22 assumes that meeting a specific standard, such as
23 a lane width or the design of a horizontal curve
24 or the clear zones on the side of the roadway is
25 safe. So, it's a switch on and of; meet the

1 document once you select a design speed or other
2 design criteria, that you automatically go to a
3 specific number and a series of numbers out of
4 different tables and you put them together in your
5 design and then hope for the best. Designers are
6 much more elaborate than that and they need to
7 understand that each one of those decisions need
8 to be taken into account with your environment
9 that you're working in and what road user
10 expectations are going to be. And that's why the
11 manuals discourage just picking numbers out of the
12 tables and designing to that specific value with
13 no consideration of how they all work together.

14 Q. Thank you. And,
15 Registrar, if you could go to the Karim report,
16 images 10 and 11, please, which are pages 7 and 8.
17 And it's the last paragraph on the first image
18 there, which continues on to the top of the second
19 page, if you could call that up. Thank you.

20 And here, he continues on, but
21 in this particular paragraph, Mr. Karim is seems
22 to draw a distinction between guidelines and
23 standards and he refers to them here. And I know
24 you reviewed this. I'm not sure that Mr. Karim
25 ultimately applies a distinction, although it

1 refers to it here. Could you just briefly comment
2 on the issue of standards versus guidelines in the
3 context we are dealing with it here, which is
4 highway design and exceptions?

5 A. So, yeah, when we're
6 dealing in the area of highway design and signing
7 and marking it and illumination, et cetera, there
8 are documents that are termed standards, like the
9 MTO standard for Ontario highways. There's other
10 documents that are referred to as manuals, as the
11 Ontario traffic manual. Then a lot of our
12 guidance now, such as the TAC geometric design
13 guide for Canadian roadways, all have different
14 terms. But essentially transportation
15 practitioners treat them much the same. They are
16 all good industry practice. There are the
17 abilities, as I mentioned in the front matter, of
18 all these documents, indicating that engineering
19 judgment, character and nature, different inputs
20 need to be taken into account and that they're not
21 supposed to be applied in a rigid manner based
22 only on what's in the documents.

23 So, from, you know, the
24 distinction between what's a standard and what's a
25 guideline and one is more rigid or important or

1 required in the context that we're dealing with is
2 not correct. It's all good industry practice.

3 Q. Okay. And upon your
4 reading of Mr. Karim's report, does he apply the
5 distinction between -- a distinction between
6 standard and guidelines?

7 A. Within this paragraph or
8 within his report?

9 Q. Within the report.

10 A. Within his report, no. I
11 mean, essentially we're -- in his evaluations,
12 he's looking at, you know, the nominal safety
13 compliance in many respects to a specific
14 guideline or standard within those documents.

15 Q. Right. But I mean does
16 he actually use a distinction and say this is a
17 standard and this is a guideline and therefore you
18 treat them differently. Is there any, sort of,
19 treatment of that sort?

20 A. No.

21 Q. All right. And when one
22 does a safety review, a traffic engineer does a
23 safety review, of an inservice highway, freeway,
24 is compliance with the design guidelines typically
25 part of that review process?

1 A. Yes. It would be one of
2 the components. We literally call it a compliance
3 review, so we go and check the geometry, the
4 signings, the marks, the illumination against the
5 guidance, industry good practice.

6 Q. Right. And you can take
7 that down, please, Registrar, and bring up
8 Mr. Brownlee's report, image 4, please, page 2.

9 I would like to talk about
10 design speed and posted speed. So, in the first
11 paragraph there under section 2.1, you note that
12 the Red Hill was planned to have a design speed of
13 100 kilometres an hour and a posted speed of 90.
14 Is that correct?

15 A. That is correct, yes.

16 Q. And I understand that is,
17 if you go to the next page, Registrar, image 5, I
18 think that is something that is permissible under
19 the 1985 MTO design guide. Is that correct?

20 A. Yeah. The latitude
21 that's provided in relation to design speed allows
22 for that speed differential, yes.

23 Q. Okay. And I think you
24 described it as being within the representation of
25 acceptable design standards?

1 A. Yes.

2 Q. And if I can find it on
3 that page, I know it's in there, in the second
4 paragraph, it says:

5 "From the early planning
6 stages through the
7 preliminary design
8 criteria for the RHVP, a
9 design speed of 100
10 kilometres an hour is
11 consistently documented.
12 This design is within the
13 range of acceptable
14 design standards."

15 A. Correct. Yes.

16 Q. And you can take that
17 down, Registrar. Thank you. The report, you can
18 keep in because we'll be going back.

19 And am I correct that as part
20 of your report, you were not delving into an
21 analysis of whether the designers of the Red Hill
22 considered the implications of their design
23 choices properly or carefully enough. Is that
24 correct?

25 A. No, I haven't covered

1 that off.

2 Q. And you aren't taking any
3 issue with their having, you know, considered the
4 guidelines and applied their professional judgment
5 to design speed and posted speed. I take it
6 you're assuming they exercise their judgment and
7 they made their choices in accordance with that.
8 Is that fair?

9 A. Yes.

10 Q. So, if we could go back
11 one image, please, Registrar, to page 4. And you
12 set out in the middle there:

13 "In determining the
14 appropriate design speed
15 of the RHVP, the
16 following should be
17 considered."

18 And if you could just expand
19 that, Registrar, from that point down on the page.
20 The font is a little small for my eyes anyway on
21 this. Thank you.

22 And you indicate a number of
23 things there, for example, that the MTO design
24 guide allows a range of design speed from 90 to
25 120 with 90 only being considered for urban

1 freeways, which is this one. Correct?

2 A. That is correct, yes.

3 Q. But also indicating that
4 the design speed desirably is set 20 kilometres an
5 hour over the posted limit?

6 A. Yes. That's indicated in
7 the manual.

8 Q. Okay. And then you go on
9 to describe some reasons why that might be not
10 followed in those instances. So, if you could
11 just describe that?

12 A. Yeah. There's always
13 going to be instances and you can see from the
14 range that's at the top of this excerpt. The 90
15 to 100 kilometres an hour, it's quite large.
16 There are instances especially when we're looking
17 at lower functioning roadways, some of the
18 secondary highways and, you know, 500, 600 and
19 700-series highways that are much lower classes
20 than the freeway facility. It's even acceptable
21 to go as low as providing a design speed equal to
22 the posted speed.

23 However, the 1985 manual does
24 indicate that it is desirable for freeways that
25 every effort should be met or should be attempted

1 to meet a desirable design speed, which is 20
2 kilometres over the posted limit. They do
3 identify urban environments and other challenge
4 topography where you may not be able to meet those
5 desirable design speeds.

6 And lastly, they outline for
7 the designer the consistency and the uniformity of
8 design standards are very important in that road
9 users operate much better, error free and more
10 quickly when the roadway or other facility that
11 they're traversing is more consistent and up to
12 their expectations and fundamentally safer when
13 they make those proper decisions about speed and
14 path.

15 Q. So, is it typical -- it's
16 permitted clearly. Is it typical to have a ten
17 kilometre an hour difference between design and
18 posted speed on a limited access freeway facility?

19 A. It's not typical. It's
20 not common to have that speed differential, but it
21 does occur, yes.

22 Q. Okay. And the next page
23 of your report, image 5, if you could expand the
24 top paragraph, please, Registrar. Thank you.

25 And you indicate that CIMA, in

1 its report, assumed, and we've heard this, a 110
2 kilometre an hour design speed?

3 A. Yes. This passage here
4 is examples to illustrate that common practice is
5 to design a freeway facility 20 kilometres and
6 over. So, in their 2015 report, CIMA identified
7 the 90 kilometre posted speed and assumed a design
8 speed of 110 as a matter of industry good
9 practice, I would assume.

10 Q. Okay. And, I guess,
11 that's my next question. If you don't know the
12 design speed, if you don't have that information,
13 is that a reasonable assumption for a road safety
14 engineer, to assume a freeway facility in Ontario
15 was designed with a design speed 20 kilometres an
16 hour over the posted speed?

17 A. I'd say it's a proper
18 assumption, yes.

19 Q. Okay. And then if you
20 could take that down and look at Mr. Karim's
21 report, Registrar. It's image 10, page 7. And if
22 you could expand the paragraph above section 3.4.3
23 in the middle.

24 In the middle section there,
25 Mr. Karim notes:

1 "A few key geometric
2 design decisions -- "
3 Regarding the Red Hill:
4 " -- such as a design
5 speed of 100 km/h, which
6 is slightly different
7 than provincial highways,
8 were clearly stated in
9 the City's planning and
10 design RHVP documents,
11 and appropriate
12 descriptions were
13 provided in all
14 documents."

15 So, he describes that as
16 slightly different than provincial highways. Do
17 you agree with that? Do you consider a 100
18 kilometre an hour design speed as slightly
19 different from provincial highways from a design
20 and operational perspective?

21 A. Firstly, I would just
22 like to clarify. Provincial highways is a pretty
23 broad term. Every facility under the province's
24 control is called a provincial highway, so that
25 would be everything from the 403 and the QEW down

1 to the most rural 700 series highway in
2 northwestern Ontario. So, let's assume that he's
3 describing freeway facilities under the provincial
4 freeway network.

5 In that regard, I don't think
6 transportation practitioners, whether we're
7 talking about design speed, posted speed or
8 operating speed, would consider 20 kilometres an
9 hour to be slightly different in any of those
10 connections. That's quite a substantial
11 difference. Likewise in law enforcement, I don't
12 think you could get pulled over and say, well, I
13 was only doing 20 kilometres difference, which is
14 slightly different than the posted speed. I don't
15 think that would go very far.

16 JUSTICE WILTON-SIEGEL: Can I
17 just ask, you referred to 20 kilometres. Are you
18 saying the difference is between 100 and 120?

19 THE WITNESS: That is correct,
20 yes.

21 BY MR. LEWIS:

22 Q. And if we can look at
23 Mr. Brownlee's report at image 5, and it's the
24 middle paragraph again. Can you describe what
25 issues can arise with selecting a 100 kilometres

1 an hour design speed for a freeway facility?

2 A. Well, notwithstanding the

3 posted speeds in some cases, within reason, even

4 prudent road users do exceed the speed limit on a

5 regular basis. I think most people attending here

6 today would agree with that in their travels. If

7 they are constantly, as road users, looking at

8 their environment, what kind of conflicts that

9 they are being faced with, what's an appropriate

10 speed to be travelling, how close do you get to

11 and from the cars around you and in front of you.

12 So, they pick a comfortable environment. When

13 they're on a freeway facility with controlled

14 access, freeway ramps, there's no stops, other

15 than congestion, things of that nature, they pick

16 a speed that they're comfortable with. Most road

17 users aren't going to make the distinction between

18 who is responsible for the roadway, whether it's

19 province or municipality. They don't know much.

20 Most of them aren't going to know anything unless

21 they're attending today about design speed and

22 what it actually is and what it's roughly set to.

23 They're making choices on their expectations of

24 driving along a freeway with relatively free flow

25 conditions.

1 So, to have a design speed of
2 100 kilometres an hour versus 110 or 120 is going
3 to make a difference from their ability to see
4 ahead on the roadway, to negotiate around curves,
5 et cetera, but they're not going to know that.
6 They're basing their operating speeds, their speed
7 choices and, again, how far they are from other
8 vehicles and when they think they can stop based
9 upon their prior expectations of travelling on a
10 freeway facility in Ontario.

11 Q. So, if I understand, some
12 drivers, when you have a lower design speed than
13 is typical in the province on a freeway facility,
14 that some drivers will have perceptions coming in
15 of the appropriate speed to drive and what speed
16 they can drive based on their --

17 A. Yes.

18 Q. -- experience. Is that
19 fair?

20 A. Yes.

21 Q. And then you go on --

22 JUSTICE WILTON-SIEGEL: Can I
23 just stop for a second and ask, are you saying,
24 well it's a highway that looks like a provincial
25 freeway and, therefore, they will assume they can

1 drive at 120 kilometres an hour, being what is
2 typical of a freeway, as you've described it,
3 under the province?

4 THE WITNESS: That is correct,
5 yes, unless warned otherwise.

6 JUSTICE WILTON-SIEGEL: Unless
7 warned outside. Right. In other words, this sets
8 the context in which traffic safety should be
9 regarded?

10 THE WITNESS: Yes.

11 JUSTICE WILTON-SIEGEL: Or
12 assessed? Okay.

13 BY MR. LEWIS:

14 Q. And I guess the warning
15 is this is posted speed, is 90. Right?

16 A. Yes.

17 Q. And then, so let's talk
18 about the operating speeds, if we could. If we
19 could take that down, Registrar, and I think it's
20 the next -- keep that page up and also pull up the
21 next image, 6. And if you could call up the
22 bottom paragraph, I think it will be helpful, of
23 the first image, and then on the second page the
24 top paragraph and the table, if that works. So
25 it's just the top paragraph in the table, not the

1 section below it, not 2.2 below it. Maybe not.
2 Okay. Well, why don't we start with that and then
3 we'll go on to the next page.

4 So, in here you're referring
5 to the 2015 CIMA report documenting operating
6 speeds and noting the 85 percentile speeds of
7 approximately 110 to 115 kilometres an hour. And
8 then going on to the next page, you can take down
9 that one and then -- yeah, there we go. So, I
10 think you've cut off the top of that paragraph.
11 Yeah, it should be from applying down to the
12 bottom of figure -- there we go. Thank you.

13 Then here you are indicating
14 what CIMA's findings were, and that table is
15 reproduced from there, from the 2015 CIMA report.
16 And first of all, 85 percentile speed, what does
17 that refer to? Is that the speed that 85 percent
18 of the drivers are at or below?

19 A. That is correct, yes.
20 So, in other words, 15 percent of the motorists
21 are travelling at speeds higher than those values.

22 Q. Okay. And so, if I
23 understand correctly, what you're saying here is
24 that a significant -- let me back up. Why don't
25 you just tell us what you're describing here?

1 A. In the 2015 CIMA report,
2 that was during a period when they were assuming
3 the design speed was 100 kilometres per hour,
4 so --

5 Q. Sorry, I think you mean
6 110?

7 A. Sorry, 110 kilometres per
8 hour. The average speed northbound and
9 southbound, you can see, is 95 to 100 kilometres
10 an hour, which is 5 to 9 kilometres over the
11 posted speed. The 85th percentile speeds are
12 showing 110 kilometres an hour in the northbound
13 direction and 115 kilometres an hour in the
14 southbound direction. And at a design speed of
15 110, you can see a couple rows down, that they
16 estimated 15 to 22 percent of the road users were
17 exceeding their assumed design speed of 110, which
18 may be in the grounds of some highways.

19 When we actually look at a
20 design speed of 100 kilometres per hour, those
21 percentages would be much higher. So, we have
22 one-third to close to one-half of the individuals
23 driving on this roadway are exceeding the design
24 speed most likely during fair weather conditions
25 when speed studies would be undertaken as opposed

1 to the middle of the winter and slippery, icy
2 conditions, things of that nature. So, it's a
3 very large proportion.

4 So, this shows in essence that
5 motorists, especially in the southbound direction,
6 are driving above the design speed, well above the
7 design speed, of the roadway and they are
8 travelling at speeds that typically we would
9 expect on a 400-series highway, notwithstanding
10 the 90 kilometres an hour posted speed presented
11 to them quite readily along the Red Hill Valley
12 Parkway.

13 Q. And what does that mean?
14 There's less room for driver error? What's the --
15 I mean, speed, we know, the faster you go, the
16 more likely presumably you might have a collision,
17 but generally speaking, does that mean there's
18 less room for error?

19 A. Yeah. Essentially, as
20 the operating speeds of the facility, of the road
21 users travelling at those operating speeds, it
22 meets and then exceeds the design speed of the
23 roadway, all else being equal, there are going to
24 be less room for available sight distances at some
25 of the more constrained locations, not along the

1 whole road network, but essentially along some of
2 the more constrained locations that are designed
3 down to that minimum design speed, where
4 components of the roadway where people are
5 entering and exiting, such as the ramps, are
6 designed to a lower design speed and are more
7 closely spaced. There are going to be occasions
8 where people will be more challenged at those
9 higher operating speeds and there will be more
10 conflict and less room to make decisions, react to
11 other road users that have potentially slowed,
12 stopped or are attempting to change lanes, things
13 of that nature.

14 Q. And then to back up, am I
15 correct that when you talked about this in your
16 Primer, that design speed choices is at the first
17 stage of the geometric design process, because a
18 bunch of other things flow from that. Is that
19 right?

20 A. You can see that in the
21 Red Hill Valley Parkway documents where they've
22 set up a design criteria early on and that fed
23 into all the design components that the designers
24 were working with upon preparing the three
25 sections.

1 Q. Right. And what flows
2 from that? It's the stopping distances?

3 A. Yeah. It would be
4 everything from stopping sight distances along the
5 freeway, the ability for people to see objects and
6 other hazards and slow moving traffic in advance
7 of them; decision sight distances, which would be
8 in relation to more complicated decisions, such as
9 when you're leaving on and off of ramps to get on
10 and off the freeway system; the horizontal and
11 vertical alignments of the hills, the curves.
12 Everything feeds out of the design speed criteria
13 that is put in place as a starting point.

14 Likewise, lane width,
15 shoulders, clear zones, everything sort of comes
16 from based on design speed.

17 Q. And so, if your design
18 speed is 100 rather than 110 or 120, the minimum
19 specified stopping distances and sight distances,
20 for example, those can be set lower?

21 A. Yes. They can work to
22 those minimums, yes.

23 Q. Okay. And the same with
24 curve radius?

25 A. Yes.

1 Q. And the difference in the
2 allowable minimum curve radius under the 1995 MTO
3 guide when the design speed changes, there's a
4 fairly significant difference in the allowable
5 curve radius from that, I believe.

6 If we go to image 15, please.
7 It's a little obscure, but --

8 A. It should be a 525.

9 Q. This is the horizontal
10 curve?

11 A. Yeah.

12 Q. So, at 100, it's 420 is
13 the minimum radius?

14 A. Yes. With those
15 assumptions, yes.

16 Q. And then at 110, sorry,
17 you said that's under neath the red circle, that's
18 525?

19 A. Should be a 525, yeah.

20 JUSTICE WILTON-SIEGEL: Sorry,
21 I'm losing you here just for a second. I had the
22 wrong page.

23 MR. LEWIS: Sorry. It's
24 page 13, image 15.

25 JUSTICE WILTON-SIEGEL: Right.

1 BY MR. LEWIS:

2 Q. And in the top table
3 there, table C3-2, on the left is the design
4 speed. And if you carry it across with the red
5 circle there, thank you, is the 420 is the minimum
6 radius?

7 A. Correct. Yes.

8 Q. And below it is for 110
9 kilometres an hour. It's a little obscured there,
10 but it's 525. Is that right?

11 A. That's correct, yes.

12 JUSTICE WILTON-SIEGEL: Right.

13 And the significance of this?

14 THE WITNESS: It's just a
15 tighter radius curve, so as motorists are
16 travelling at 110, 115, in some cases even in
17 excess of that, their margin of error for
18 travelling around that curve is reduced. They are
19 going to be travelling around a tighter curve.
20 So, when certain conditions outside of clear and
21 dry weather conditions occur, they will be
22 challenged, more challenged, to stay on the
23 roadway. It's a tighter curve.

24 JUSTICE WILTON-SIEGEL: And
25 are we thinking of a particular curve in this

1 regard?

2 MR. LEWIS: Well, we're going
3 to go to the specific alignment and look at them
4 in a bit.

5 JUSTICE WILTON-SIEGEL: That's
6 fine. Okay.

7 MR. LEWIS: We'll look at the
8 actual radius. You can take that down, please,
9 Registrar.

10 BY MR. LEWIS:

11 Q. And at page 4, image 6,
12 if we could go to there. In the top paragraph
13 that we already looked at, you indicated a
14 potential effect on CIMA if the actual design
15 speed rather than the assumed 110 kilometre speed
16 was used, and you indicate that they would have
17 identified the significant disparities between the
18 posted design and operating speeds and potentially
19 adjusted their assessment scope assumptions and/or
20 range and/or immediacy of potential remedial
21 actions.

22 Mr. Karim appears to disagree
23 with that in his report. If we could go to the
24 Karim report at image 15 and 16, please. And it's
25 the last bullet at the bottom of the first

1 image and then the continuation of that in the
2 second bullet on the next. Sorry, the
3 continuation and the second bullet.

4 JUSTICE WILTON-SIEGEL: Again,
5 I'm just a little behind you. Which pages are we
6 in the Karim report?

7 MR. LEWIS: So, it is pages 12
8 to 13, the bottom of page 12, top of 13, starting,
9 "In general, a safety assessment."

10 BY MR. LEWIS:

11 Q. And Mr. Karim, as I'm
12 understanding it, he's indicating that it would
13 have predominantly depended on the posted and
14 operating speeds, its review of geometric design
15 and safety guidelines.

16 Maybe you could address that.
17 We've already looked at your report where you said
18 that CIMA potentially would have adjusted their
19 assessment scope assumptions and/or range and/or
20 immediacy of potential remedial actions. What's
21 your response to Mr. Karim on this point?

22 A. Well, doing a safety
23 assessment of an existing facility, one always and
24 it's, sort of, a fundamental component, we
25 mentioned before a compliance review is part of

1 it, but knowing what the design speed is and what
2 the various components geometrically and the
3 roadside, the signing, et cetera, is designed to
4 is a pretty fundamental part of what we do.

5 While we'll look at what the
6 posted speed and the existing operating speeds
7 are, they're very important as well, the design
8 speed is not the -- or the design speed is
9 definitely a fundamental component when completing
10 some of the components of the safety review. He
11 indicates that the Highway Safety Manual suggests
12 that posted speed and existing operating speed are
13 the most fundamental to a highway safety review,
14 and that's incorrect. The reference, he has
15 footnote 36, the reference he's making in the
16 Highway Safety Manual relates to the calibration
17 of a safety performance function. We're not going
18 to get into that today. Essentially, a model of
19 safety performance on a suburban or urban road
20 section.

21 Obviously the Red Hill Valley
22 Parkway is a freeway section. Going through the
23 Highway Safety Manual, there's no similar
24 statements for dealing with freeways and that was,
25 I guess, the best attempt at finding a reference

1 to posted speed within the Highway Safety Manual.
2 It doesn't get that specific. It actually has a
3 whole section on completing operational safety
4 reviews, which CIMA and others have done on the
5 Red Hill and other road networks, what we do on a
6 regular basis, and it does not get specific down
7 to saying that posted speed and existing operating
8 speeds are the main criteria in doing those
9 assessments.

10 Q. Take that down,
11 Registrar, and just pull up the -- take down the
12 call out. And I think it's, just to expand it,
13 it's footnote 36, which starts on the first
14 image and continues on to the next image. Yeah.

15 Is this the one that you're
16 talking about?

17 A. Yeah. So, it's
18 chapter 12, section 12.4, which relates to urban
19 and suburban arterial roadways, which would be
20 similar to some of the major highways in Hamilton,
21 but definitely not the Red Hill Valley Parkway.
22 And you can see it's part of part C, which is
23 Predictive Methods, which is how to establish and
24 set up models to evaluate the safety performance
25 of a particular urban or suburban 4 lane roadway

1 type of thing.

2 Q. Thank you. Take that
3 down. And with respect to -- this will now
4 address, Commissioner, your question about
5 particular curves. In the horizontal alignment,
6 you have, I understand, reviewed the horizontal
7 curves on the mainline as they appear on the
8 construction plans in overview document 3.1, and
9 that's reproduced in Appendix C of your report.
10 Correct? Well, we'll get to that.

11 You reviewed the horizontal
12 curves and their radius. Right?

13 A. Yes, I did.

14 Q. Okay. And if we could go
15 to table 1, which is section 16 and 17 of
16 Mr. Brownlee's report. Images 16 to 17. I think
17 that should be the page on the right. Yeah, there
18 we go. Thank you.

19 And in this, I want to focus
20 on the curve radius. But, first of all, this
21 review is based on the plans that we'll look at
22 from the Appendix C, but it's not the as-built
23 drawings. These are the ones that were developed
24 for the tender and construction. Correct?

25 A. That is correct, yes.

1 Q. And just overall, am I
2 correct based on this, with the possible exception
3 of the one curve south of the King Street
4 intersection, which we'll talk about, that the
5 curves do meet the MTO guide minimum horizontal
6 curve requirements for a 100 kilometres an hour
7 design speed?

8 A. Yes, they do. Yes.

9 Q. Okay. And at the bottom
10 of the passage at the bottom on the first
11 image there, it's the last one there referring to
12 the bottom part of the chart on the left-hand
13 image on page 14, referring to the south of King
14 Street interchange curve with a radius of
15 420-metres and the reference to meet or exceeds
16 the MTO guidelines, a design guide, it just says
17 undetermined there. That's the one I was talking
18 about?

19 A. Yeah.

20 Q. And what's the reason
21 that it's undetermined? It has to do with the
22 superelevation. Is that right?

23 A. Yeah. It will depend.
24 Because it's right at the minimum for a 100
25 kilometres an hour design speed and the assumption

1 is that it's a maximum of 6 percent. If it's much
2 less than that, it may not meet it, but, you know,
3 it's not -- at this point, if it's within the
4 typical range of what they applied on other
5 horizontal features of the Red Hill, which was
6 roughly between 4.9 percent and 6 percent, it
7 would be either be above or below a design speed
8 of 110 at that radius.

9 Q. Okay. Because you can't
10 tell from the plans what the superelevation --

11 A. Right. They were
12 unspecified. Yeah.

13 JUSTICE WILTON-SIEGEL: So, if
14 I can just confirm, in respect of this particular
15 curve, the radius is at the minimum?

16 THE WITNESS: That is correct.

17 JUSTICE WILTON-SIEGEL: So,
18 whether the curve meets the requirements depends
19 on the superelevation?

20 THE WITNESS: That is correct.

21 JUSTICE WILTON-SIEGEL: Which
22 you can't determine. If the superelevation is
23 6 percent, it meets the minimum requirements. If
24 it is less than 6 percent, is does not meet the
25 minimum requirements?

1 THE WITNESS: That is correct.

2 JUSTICE WILTON-SIEGEL: Okay.

3 Thank you.

4 BY MR. LEWIS:

5 Q. And then at the bottom of
6 page 15, the image on the right there, you
7 indicate that:

8 "Based on the above
9 review, the majority of
10 horizontal curves and
11 associated spiral curves
12 have design speeds equal
13 to or greater than the
14 100 kilometre an hour
15 design speed. The
16 horizontal curves north
17 and south of the King
18 Street interchange had
19 radii of 450 and
20 420 metres were at or
21 slightly above the design
22 minimum."

23 So, the 420 is the one we were
24 just talking about and the 450 is the second one?

25 A. Yeah. So, those -- yeah.

1 Those are the more constrained curves within the
2 corridor.

3 JUSTICE WILTON-SIEGEL: And if
4 I may just ask one further question. Is this in
5 the context of the 1985 MTO design guide?

6 THE WITNESS: That is correct,
7 yes.

8 JUSTICE WILTON-SIEGEL: Okay.
9 This is all with reference to that 1985 design
10 guide?

11 THE WITNESS: Yes, yes.

12 JUSTICE WILTON-SIEGEL: Okay.

13 BY MR. LEWIS:

14 Q. Now, if we could go to
15 Appendix C, this is at image 59, and if you could
16 call that -- yeah. Thank you.

17 So, this is what we call part
18 B of the design documents for essentially the
19 middle section of the Red Hill, which contains the
20 horizontal curves between, like, essentially
21 Greenhill through King Street, Queenston, to
22 Barton, with the south being on the left side of
23 this drawing and north being on the right-hand
24 side. Is that right?

25 A. Yes, that is correct.

1 Q. Okay. And just for good
2 order's sake, this is -- Commissioner, we've seen
3 this before in evidence, but the boxes and the
4 arrows were added as part of the overview
5 document 3.1 to the drawings in order to be able,
6 sort of, a one stop shopping to be able to look at
7 the curve radius and superelevations at each curve
8 and, as well, with I think the red for the streets
9 was added as well just to make it clear, because
10 otherwise it's difficult to so and you have to
11 refer to other plans within the tender and
12 construction documents.

13 And so, the 420-metre curve
14 radius that you were discussing and the
15 Commissioner asked you about, that's the one, as I
16 understand it, it's marked number 3, radius,
17 420-metres, superelevation unknown, and that's the
18 one just to the south of the King Street
19 interchange?

20 A. Yes, it is.

21 Q. Thank you. And then if
22 one is continuing north or to the right on this
23 image, the next curve is the one marked number 5,
24 which says radius equals 450-metres,
25 superelevation equals maximum 6 percent that, sort

1 of, goes through and past if you're moving to the
2 north of the King Street interchange?

3 A. Yes, it is.

4 Q. Okay. And those are the
5 two smallest radius curves. Is that correct?

6 A. That is correct, yes,
7 along the mainline.

8 Q. Yeah. And then the next
9 curve is number 6 up towards and including the
10 Queenston Road interchange, which has a larger
11 690-metre radius. Is that right?

12 A. Yes, it is.

13 Q. And on that one, the
14 superelevation is also indicated as unknown, but
15 am I correct that within the parameters, because
16 the curve radius is large enough within the
17 specified parameters of the superelevations, it
18 would comply with the guideline. Is that right?

19 A. Yeah. Within the range
20 of crossfalls that have been identified throughout
21 the design documents from 4.9 to 6, it would
22 comply at 690-metre radius or kilometres an hour.

23 Q. And, as I mentioned at
24 the top, you did attend in October 2022 and one of
25 the things you did was conduct a ball-bank test.

1 If we could go to image 18,
2 please, Registrar.

3 Can you just describe what is
4 the purpose of a ball-bank test?

5 A. A ball-bank test is used.
6 It's an in-vehicle device. It measures the
7 dynamics of a vehicle as it travels around a
8 horizontal curve, so it's taking into account the
9 vehicle role, the slope of the superelevation and
10 the radius of the curve all in together. The
11 readings from the device would tell you roughly at
12 what speed, a comfortable speed, that a vehicle
13 could travel around that curve. And, in essence,
14 you know, at the very high level, the reading from
15 a ball-bank, if it's successful at the specific
16 speed, say 80 kilometres an hour, the design speed
17 of that curve is roughly 80 kilometres an hour and
18 we could post our signs, if needed, to advise of
19 an appropriate operating speed if that 80
20 kilometres an hour is within or outside the bounds
21 of the posted speed, if it was above or below.
22 So, we undertook ball-bank tests along the Red
23 Hill Valley Parkway around all the curves and, at
24 100 kilometres per hour of an operating speed, I
25 did them myself, all the locations had positive

1 and acceptable ball-bank readings along those
2 curves.

3 Q. And so, if I understand
4 correctly, even though, as you describe from the
5 drawings and so forth, you were unable to
6 determine on the one 420-metre radius curve
7 whether it did meet the design speed or not
8 because the superelevation that the ball-bank test
9 suggests that its design speed is within the range
10 of 100?

11 A. In the range, yes.
12 Ball-bank tests aren't that accurate down to the
13 kilometres per hour, so we're in that ballpark,
14 yes.

15 Q. Okay. And CIMA had also,
16 as you indicated, conducted a ball-bank test on
17 the lower radius curves and reached the similar
18 conclusion. Is that correct?

19 A. That is correct.

20 Q. Okay. And then you, in
21 the last paragraph, sort of, conclude on that
22 point about that they produced acceptable readings
23 on the intended design speed, but you go on.
24 Could you just explain what you're referring to
25 there?

1 A. So, essentially, I guess,
2 the question being is, if you can travel through
3 it at 100 kilometres an hour, the design speed is
4 100 kilometres an hour, what's the big deal?
5 Well, in a lot of cases our motorists travel at
6 speeds that exceed the posted speed and in some
7 cases we've seen the design speed of the roadway.
8 So, they make those decisions as they're
9 travelling down the roadway, both in dry
10 conditions and in other surface conditions.
11 Various ranges of traffic, whether it's congested
12 or free flow, and what appears to be occurring in
13 some of the tighter or constrained areas that are
14 designed closer to the design speed, our motorists
15 might not be adjusting their speeds appropriately
16 under wet road conditions and other conditions
17 such as that.

18 When they're dealing with snow
19 and ice and other very obvious surface, a lower
20 friction surface conditions that they know of,
21 they have slid on ice, they have slid on snow
22 before, they will adjust their speeds. But there
23 may be situations where, given the lower design
24 speed of the facility, they may not adjust
25 properly and that's what appears to be happening

1 in some of these successive curves and closer
2 spaced interchanges, just making what they feel is
3 a prudent decision for operating speed and
4 following speeds and the path that they're taking
5 through a curve might not be appropriate and could
6 be a potentially contributing factor to the higher
7 collision risk that we've seen in the analysis
8 through the CIMA reports.

9 Q. Thank you. You can take
10 that down, Registrar. And I want to talk about
11 interchange spacing. And, Registrar, if you could
12 pull up image 21, which is page 19.

13 While he's doing that,
14 Mr. Brownlee, you reviewed the interchange spacing
15 in relation to MTO design guidance on that topic.
16 Is that correct?

17 A. Yes. We completed a
18 compliance review.

19 Q. Okay. And at the top of
20 that is an excerpt from the, as I understand it,
21 urban highway guidance for interchange spacing?

22 A. That is correct, yes.

23 Q. And that it generally
24 ranges from two kilometres to three kilometres.
25 Is that right?

1 A. In general, yes.

2 Q. But also that
3 interchanges should be located at major arterial
4 roads for the urban area?

5 A. So, we generally have.
6 If you're building within an urban area and
7 there's existence roadways, that's typically where
8 you're going to have interchanges.

9 Q. Right. And, sort of, in
10 an urban environment, you take them as you find
11 them?

12 A. For the most part. I
13 mean, there are provisions and they're alluded to
14 in the manual at the high level talking about the
15 interchange design, aggregating interchanges,
16 having some as flyovers where they don't have
17 ramps.

18 Q. Can we go to that? I
19 think you're referring to a reference in
20 Mr. Karim's report, I think. If you keep that up,
21 please, Registrar, and go to Mr. Karim's report at
22 image 19. It's at the top there where, if you
23 could expand the top excerpt. Yeah.

24 So, that's the continuation of
25 the interchange spacing in urban areas that we

1 already looked at from your report. And then is
2 -- this additional, that short paragraph at the
3 end:

4 "If arterial roads are
5 spaced closer than two
6 kilometres..."

7 Is that what you're referring
8 to?

9 A. Yeah. I just wanted to
10 respond to your you take it as you get it. You
11 don't necessarily have to tie into every arterial
12 roadway that crosses the freeway network that
13 you're building. In many cases, that is,
14 obviously, desirable, to bring your traffic to and
15 from the freeway system along your arterials, but
16 there are provisions that, if you are to space
17 them closer than two kilometres within the
18 geometric design standards for MTO, that they do
19 give, as I said, some high-level discussions about
20 you might want to take into account how you design
21 those interchanges, whether you can aggregate
22 access to some of the arterials and if you may
23 just have flyovers, to provide access across the
24 freeways versus on and off of it.

25 Q. Okay. So, on those last

1 two points, the latter one is essentially don't do
2 an interchange. That's one possibility?

3 A. Yes.

4 Q. You simply have a bridge
5 over or a tunnel under, I guess, but the freeway
6 is a bridge over it, so that's one possibility.
7 Or the other one is potentially combining two into
8 one so that they feed in together?

9 A. Then the other one that
10 they mention, they talk about alternative means or
11 in other manuals they speak to making partial
12 interchanges or identifying, you know, the design
13 of a ramp such that you don't have the interaction
14 between too close of a space, interchanges. And
15 you can see that -- probably think of something
16 that you travel through on a regular basis where
17 the interchange only travels to and from, say, the
18 north to the south.

19 Q. And so, though, if that
20 isn't done with urban highways, I take it that
21 it's not uncommon that they are less than -- their
22 interchanges are spaced less than two kilometres
23 together. Is that a fair assessment?

24 A. Yeah. There are
25 obviously many examples one can point to in the

1 urban arterial freeway network in Ontario where
2 that spacing is not met.

3 Q. Okay. And you can take
4 that down, Registrar, just the excerpt. And then
5 if you could highlight from below figure 15 to the
6 bottom of the page with the interchanges, so
7 table 2 and the paragraph above table 2 in
8 Mr. Brownlee's report. Thank you.

9 And so, this excerpt from your
10 report sets out the distance between the
11 interchanges themselves on the Red Hill. Is that
12 right?

13 A. That is correct, yes.

14 Q. And, as I read it, that
15 four of the five interchange spacings are under
16 the two kilometre guideline in the MTO design
17 guide for urban freeways. Is that right?

18 A. That is correct, yes.

19 Q. Okay. And for the
20 interchange itself, is that measured from the
21 centre line of the crossing road of the
22 interchange?

23 A. Yeah. It would be taken
24 from the centre line of King Street to the centre
25 line of Queenston.

1 Q. Okay. And just to go
2 back to your general commentary about guideline
3 deviations, does, you know, the fact that these
4 are spaced less than the guidelines specify, does
5 that mean that the spacing is, in itself, unsafe?

6 A. No, not unto itself. No.
7 It's a compliance check.

8 Q. And, again, there's --
9 you're delving into an analysis into the past of
10 whether the designers considered the implications
11 carefully enough of the deviations. That's not
12 something you engaged in. Correct?

13 A. No.

14 Q. All right. Same as we
15 talked about earlier on. But does closer
16 interchange spacing nevertheless tend to make the
17 freeway more challenging for the driver as they
18 become closer together?

19 A. In general, yes. Any
20 time that you put major decision points closer
21 together along a roadway facility, whether it's
22 intersections or driveways or interchanges, less
23 time, less distance to make decisions about your
24 speed and path, to be able to identify other road
25 users and what their intended paths are and react

1 to those are all going to be lessened within a
2 constrained area.

3 Also, as interchanges get
4 closer together, you know, you may have weaving on
5 and off at the same time in a particular road
6 section, which creates an additional challenge and
7 all of us can probably identify those in our daily
8 travels where that occurs. And -- yeah. Sorry.

9 Q. Thank you. And, as part
10 of that, when you describe that generally, is that
11 a situation where there's an increased friction
12 demand?

13 A. There would be more
14 demand on motorists being able to react to
15 vehicles entering and exiting their lanes, to
16 potential congestion. Sorry, that's where I was
17 going to go with it. There's greater potential
18 for congestion. As people are changing lanes,
19 they cause turbulence in the mainline freeway
20 activities, and that may cause greater potential
21 and probability of people needing to speed up,
22 slow down or come to a complete stop to react to
23 the traffic conditions and other road users.

24 Q. Okay. And the shortest
25 spacing, interchange spacing there, you indicate

1 is the fourth one down from King Street to
2 Queenston Road. And am I correct that that is the
3 one in the area of where the 450-metre radius
4 horizontal curve is?

5 A. Yeah. It was the -- from
6 a geometric perspective, it's the most constrained
7 area of the design, so it is in the vicinity --
8 both those functional areas of the shortest
9 interchange spacing and the tightest horizontal
10 curves overlap each other, yes.

11 Q. Okay. And then of the
12 five, the third, with a 1.1 -- sorry, 1.292
13 spacing is Greenhill to King Street, and is that
14 the location where the 420-metre radius curve is
15 located?

16 A. The very south portion
17 or, sorry, very north. Get my directions
18 straight. The very north portion of that road
19 section is where the 420 is, yes.

20 Q. Okay. Maybe if we could
21 just go back to image 58 for a moment, just to
22 give the Commissioner. This is just --

23 A. 59, actually.

24 Q. Sorry, did I say 59? 59,
25 please.

1 JUSTICE WILTON-SIEGEL: Bear
2 with me for a second while I find that. Okay. Go
3 ahead.

4 MR. LEWIS: Do you have it?
5 It's right at the end of --

6 JUSTICE WILTON-SIEGEL: I have
7 it in front of me.

8 BY MR. LEWIS:

9 Q. So, the first one that we
10 were talking about there, the shortest interchange
11 spacing, is, as we said, between King Street to
12 Queenston?

13 A. Yes.

14 JUSTICE WILTON-SIEGEL: King
15 Street, shortest.

16 MR. LEWIS: That's the
17 shortest distance.

18 JUSTICE WILTON-SIEGEL: So,
19 King Street to Queen Street.

20 MR. LEWIS: Queenston, not
21 Queen Street.

22 JUSTICE WILTON-SIEGEL: Yes.
23 And that's a --

24 MR. LEWIS: So, that's in the
25 area of the 450-metre curve and moving into the

1 690-metre radius.

2 JUSTICE WILTON-SIEGEL: Yes.

3 MR. LEWIS: Depending on the
4 direction you're going.

5 BY MR. LEWIS:

6 Q. The third shortest
7 distance between the interchanges is the Greenhill
8 to King Street, one which is in the area of the
9 420-metre horizontal curve. Is that correct,
10 Mr. Brownlee?

11 A. Yes, it is.

12 JUSTICE WILTON-SIEGEL: Okay.

13 BY MR. LEWIS:

14 Q. Thank you. You can take
15 that down. And Mr. Karim, in his report,
16 indicates that there's no definitive studies or
17 models to quantify the safety impact of
18 interchange spacing. Maybe we could go to that in
19 Mr. Karim's report at images 17 to 18. And it's
20 in the bottom part of image 17 in the top of
21 image 18 is what I'm referring to.

22 Is that accurate, that
23 statement?

24 A. He's making that in
25 reference to the industry guidance and the Highway

1 Safety Manual and the vintage of -- around the
2 time that the HSM was developed, which was 2010.
3 Since then, there have been refinements to the
4 industry. While it's not explicitly defined as
5 interchange spacing in the documents, the
6 interaction between two interchanges, there were
7 weaving distances and the spacing between each one
8 of those components, there is modelling that was
9 produced in the U.S. under the federal highways.
10 The model itself is called ISATe, which is
11 specifically designed to estimate collision
12 potential at freeway interchanges and between
13 freeway interchanges along tangents and their
14 approaches and their weaving sections.

15 Q. And is that something
16 that CIMA looked at?

17 A. They applied the ISATe,
18 if I remember correctly, in their 2003 report.

19 Q. Sorry, 2003 or 2013?

20 A. Sorry, 2013. I
21 apologize. And, at that point, they were looking
22 at estimates. And so, ISATe will generate based
23 on the geometric features of the highway, the
24 interchanges, the horizontal curves of the highway
25 and those ramps and the weaving distances in

1 between ramps as people are trying to get on and
2 off, and it will come up with an expected
3 collision frequency at which you can assess the
4 relative impacts of your design and/or compare it
5 to the performance, what's happening on your
6 freeway in terms of collision potential.

7 I think at that time, that was
8 early in the stages. The model is developed from
9 U.S. interchanges, which a lot of information in
10 the Highway Safety Manual is. Even though it's
11 developed by many Canadians in many of the
12 chapters, it's U.S. data that was used to
13 calibrate a lot of the models that we use today.

14 CIMA identified in that report
15 some of the issues with calibrating and that it
16 would need to be done to Ontario and specific to a
17 corridor to calibrate that model, to do those
18 predictions, but it's definitely tool that could
19 have been used if one wants to assess the impacts
20 of interchange and ramp spacing.

21 Q. Okay. Mr. Karim
22 indicates in there that -- he says that:

23 "Although evaluation can
24 be done, no definitive
25 safety model or collision

1 modification factors are
2 available."

3 Can one evaluate, you know, in
4 the absence of definitive research, is it
5 something that, generally speaking, the influence
6 of interchange spacing can be assessed in the
7 absence of applying a model of that nature?

8 A. Yeah. In general terms,
9 we know in the industry that placing decision
10 points closer together is going to create
11 additional conflict and collision risk, but in
12 addition to that, we can do a substantive safety
13 review as we do in a number of our studies and
14 CIMA has undertaken to look at the collision risk
15 along a corridor and what could potentially be
16 contributing to that and they identified spatially
17 in a number of their reports where the highest
18 collision risk is relative to the rest of corridor
19 and essentially the bar graphs speak for
20 themselves that around closer spaced
21 intersections and tighter horizontal curves, you
22 can see the collision risk is going up.

23 Q. And Mr. Karim's report
24 surveys a number of other urban highways in
25 Ontario and notes that they have interchanges less

1 than two kilometres from one another. And I take
2 it from what you said, you would agree that's
3 correct?

4 A. That is correct, yes.

5 Q. Were you intending to
6 suggest otherwise in your report?

7 A. No.

8 Q. If we could go to
9 Mr. Karim's report, image 21. This is a summary
10 at table 2 of the Red Hill along with the Don
11 Valley Parkway, Highway 403 in Hamilton, Highway
12 406 in St. Catharines and Highway 7/85 in
13 Kitchener and of the -- breaking down the number
14 of interchanges in the area of each highway
15 surveyed and the ratio of interchanges to
16 kilometres on that stretch and the average spacing
17 between them.

18 And, as Mr. Karim notes in his
19 report, that the RHVP had the highest number of
20 interchanges per kilometre, followed closely by
21 highway way 7/85 in Kitchener, and that the lowest
22 average interchange spacing was Highway 7/85
23 followed by the Red Hill?

24 A. Right.

25 Q. Do you agree with that?

1 Do you take any issue with that chart in itself?

2 A. The chart itself, no.

3 Some of the corridors that were selected and their
4 extents may have skewed the results a bit, but
5 overall, I mean, it's a compliance check of ramp
6 spacing on similar corridors, yes.

7 Q. And the Don Valley
8 Parkway, for example, it says it's up to Eglinton
9 Avenue East. It's not the entire DVP. Is that --

10 A. Yeah. That's the one I'm
11 most familiar with, having lived in Toronto most
12 of my life. When you look at the corridor that
13 was selected for the Don Valley, and, again, it's
14 a straight measurement in between each
15 interchange, the section north of Eglinton Avenue
16 is Lawrence and York Mills and the 401 and those
17 are some of the more open areas of the Don Valley
18 as opposed to the more southerly section when it
19 gets around more challenging environment with the
20 Don River.

21 In addition to that, so he's
22 excluded some of the larger interchange spaces in
23 that analysis.

24 Likewise, some of the
25 interchanges, as we spoke of before, such as

1 around Queen Street, which would be the more
2 southerly section, are only a single ramp onwards
3 on to the facility. So, from the design guidance,
4 the designers of the Don Valley have incorporated,
5 well, if we're going to put all these closely
6 spaced access to arterials, we may need to modify
7 some of the access you provide so you don't have
8 the weaving sections that you typically would have
9 on a full interchange, so they're very minor
10 interchanges with one route.

11 Q. And apart from the raw
12 facts, can you comment on the relevance of
13 comparators in this fashion, using comparators in
14 this fashion?

15 A. It's something that we do
16 in the industry to look at, you know, the ranges
17 that are provided for a specific design feature.
18 We look at peer locations. What it lacks is
19 whether the interchange spacing on these other
20 facilities has any relevance from a safety
21 perspective. So, it would be, if we married this
22 with the safety performance of their facilities
23 and potentially as we have -- as CIMA as done on
24 the Red Hill Valley Parkway, looked at spatially,
25 does interchange spacing, as it decreases or

1 increases, does it change safety performance?
2 That would be of use. At this point, it's
3 essentially saying there's other facilities in
4 Ontario with similar interchange spacing and then
5 leaving it at that.

6 Q. Commissioner, it's 11:30.
7 I will definitely be done by lunch. I anticipate
8 I've got about, for today, probably about
9 45 minutes left, so I think if it's a good idea to
10 take a break now.

11 JUSTICE WILTON-SIEGEL: Sure.
12 Let's take a 15-minute break. It's 11:30, so
13 we'll return at a quarter to 12:00.

14 --- Recess taken at 11:30 a.m.

15 --- Upon resuming at 11:45 a.m.

16 MR. LEWIS: We're back.
17 Commissioner, may I proceed?

18 JUSTICE WILTON-SIEGEL: Yes,
19 please proceed.

20 MR. LEWIS: Thank you.

21 BY MR. LEWIS:

22 Q. Registrar, if you could
23 take us to the Karim report, image 17, please,
24 which is page 14. And, Registrar, if you could
25 call up the figure 1 at the top of the page.

1 And I understand,
2 Mr. Brownlee, that this diagram, taken from the
3 NCHRP, contains two things. On the top is the
4 representation of interchange spacing that we've
5 just been discussing. Is that the first thing?

6 A. Yes.

7 Q. Between the midlines of
8 the crossroads on the freeway?

9 A. That is correct.

10 Q. And now, the second part
11 is ramp spacing, and could you describe how that
12 is measured? We can see the diagram.

13 A. Essentially, ramp spacing
14 takes into account the ramps that would be coming
15 from each one of those interchanges and where
16 traffic would generally be merging and diverging
17 within a section. We call it the weaving section
18 in between two interchanges. And the designation
19 for ramp spacing for a measurement is between the
20 painted areas, not the physical what we call gore
21 areas where you would see, you know, energy
22 attenuator and guide rail, things of that nature
23 in some cases. It's from the painted areas where
24 traffic -- it would be permissible for traffic to
25 travel on to or off of the freeway.

1 Q. Okay. And that's the
2 painted tip that it shows there?

3 A. Yes.

4 Q. Okay. And if we could
5 take that down and go to image 21 of Mr. Karim's
6 report. That's page 18.

7 And at the top, Mr. Karim
8 indicates that -- he says:

9 "In addition, our cursory
10 review of weaving areas
11 between the on/off-ramps
12 reveals that most of
13 these ramps' spacing
14 distances or weaving
15 sections were close to
16 the MTO minimum
17 recommended distance of
18 600-metres for ramp
19 spacing (within 90 to
20 100 metres)."

21 Footnote:

22 "This typically indicates
23 the most critical element
24 of interchange spacing
25 was considered with

1 greater care and efforts
2 were made to minimum
3 weaving conflicts."

4 So, first I should note that
5 the footnote there close to the 600-metre minimum
6 recommended is footnote 48, I believe, is except
7 for the section between Queenston Road and King
8 Street east, which was roughly 415-metres.

9 So, you can take down that
10 call out, please. So, first of all, is that
11 correct, that the MTO guide recommended a minimum
12 600-metre spacing between the ramps?

13 A. Yeah, that's the minimum.
14 And, again, design balance, you would either
15 increase that or decrease that based on site
16 specific needs and volumes.

17 Q. Okay. And so, as we've
18 seen in the footnote, he indicates the distance
19 between Queenston and King ramps, but he says that
20 they're close to the 600-metre minimum, meaning
21 within 100-metres, but didn't specify whether that
22 meant over or under, except for that one. So, did
23 you have an opportunity to check the spacing
24 following review of his report?

25 A. Yes. We looked at the

1 ramp spacing along the Red Hill and we identified
2 three of the weaving sections that would be below
3 the MTO specified minimum of 600-metres.

4 Q. Okay. And those were?

5 A. Going in the northbound
6 direction between Greenhill and King Street, the
7 distance is 500-metres. Southbound Queenston to
8 King, identified in 30's footnote of 415-metres.
9 And then, again, northbound King Street to
10 Queenston, 550-metres. All the other spacings
11 along the Red Hill Valley Parkway are greater than
12 600-metres.

13 Q. All right. And am I
14 correct those are the ones with the three with the
15 ramp spacing below the recommended 600, are those
16 where the shortest interchange spacing is that we
17 discussed?

18 A. That is correct, yes.

19 Q. Okay. And Mr. Karim says
20 that his indicates greater care was taken on-ramp
21 spacing than interchange spacing. Do you
22 understand that statement? What that means?

23 A. Well, based on the
24 analysis that he's completed, which is a nominal
25 safety review, and he's identified three locations

1 where they chose to go below that specified
2 amount, and that's the limits of his analysis.
3 I'm struggling to determine where he determined
4 greater care was provided and how, along the
5 expressway or, sorry, the freeway weaving
6 conflicts were minimized at those locations.

7 Q. You can take that down,
8 Registrar.

9 You talk about sight distances
10 in your report, section 2.8.

11 And, Registrar, if you could
12 call up Mr. Brownlee's report at images 22 to 23,
13 which is page 20 and 21.

14 And I won't go through.
15 You've already talked about stopping sight
16 distances and decision sight distances, which are
17 listed at the top of page 20 there on the left.
18 And we've talked about how it's a function of the
19 design speed, the minimum sight distances and
20 decision sight distances. Could you describe what
21 you did here to ascertain whether operational
22 sight distances were within the design guidelines?

23 A. Well, generally when we
24 do sight line measurements and assessments, we
25 would undertake them in the field. There are

1 occasions where you can measure those from plan
2 and profile drawings, like the ones that were
3 produced for the Red Hill Valley Parkway.
4 However, when we get into situations where there's
5 combinations of curves and hills together and
6 roadside obstructions, such as bridge abutments,
7 roadside vegetation and some challenging
8 topography, it would be, in some cases, a very
9 rough estimate of what those sight lines would be.
10 So, we were unable to be able to do that from a
11 desktop review of those design drawings.

12 Q. And you said it normally
13 would require and, if you weren't able to do it
14 that way, you would have to do an on-site?

15 A. Yeah. Either you need a
16 very detailed survey that provides three
17 dimensions of all the components of the highway
18 and the vertical features along it to be able to
19 complete those sight distance measurements.

20 Looking at figure 16 on the
21 right-hand page, you can see how roadside
22 vegetation around ramps and even along the
23 mainline and other -- you can imagine if there is
24 a bridge abutment, et cetera, there, we would just
25 be roughly estimating if sight distances were

1 provided along the freeway or not versus being
2 able to actually provide a definitive measurement
3 within a reasonable range.

4 Q. And in order to do that
5 accurately, you would have to conduct measurements
6 on-site for a three dimensional scan?

7 A. Yes.

8 Q. And what were you able to
9 determine? I see in the middle of page 22 --
10 sorry, I guess, towards the bottom there on
11 image 22, page 20, you indicate that they appear
12 to be sufficient, sight distances appear to be
13 sufficient along the tangent and larger radius
14 alignments, which are south of -- these
15 sections are located south of the King Street
16 intersection and in an around the Barton Street
17 interchange, but available sight lines in or
18 around King Street and Queenston Road interchanges
19 are more constrained and we cannot definitively
20 determine if they are deficient for the 100
21 kilometres an hour design speed. And then the
22 example you give is of the paragraph in figure 16?

23 A. Exactly, yeah. I mean,
24 essentially in the more southerly sections, more
25 tangent sections, more wide open, the clear zones,

1 sorry, the roadway, there's less foliage, so it's
2 readily apparent that the sight lines would be
3 obtained there knowing that we have a 100
4 kilometres an hour design speed, but when we get
5 into those more challenging areas, we won't be
6 able to measure them within enough accuracy for me
7 to be able to specify one way or the other.

8 Q. Okay. And then if we
9 talk about design consistency and motorist
10 expectations, and that starts there on page 21 at
11 image 23, and you talk about it there, but you
12 also testified in April about the principal of
13 design consistency, and I wonder if you could give
14 us a little bit of a refresher on that today?

15 A. Essentially --

16 Q. As detailed as you can
17 while giving us a good refresher on it.

18 A. Okay. Essentially our
19 road users operate within an environment. They
20 operate better, they make better decision or error
21 free decisions when the roadway or other
22 transportation facility in front of them is
23 consistent in what they expect for that type of
24 facility.

25 Within a particular road

1 next page, keep up page 21 and go to the next
2 image as well, though, please.

3 A. So, when we look at the
4 design in the operations of the Red Hill Valley
5 Parkway, I identified a number of potential
6 expectancy violations, so areas that may surprise
7 road users that they may not be prepared for or
8 just add to the additional congestion and
9 manoeuvring of vehicles along the highway, the
10 first being the design speed.

11 So, we heard earlier and I
12 don't want to repeat too much of it, essentially
13 we have a freeway type facility, there may be
14 motorists that have an expectation of what an
15 appropriate posted speed is on that freeway
16 facility and, as they travel along it on one
17 direction or another and they're approaching from
18 the LINC or 403 or QEW, that they have an
19 expectation of that they may be able to continue
20 travelling at those speeds and exceeding the speed
21 limit on a regular basis.

22 Having a condition where the
23 design speed is a lot closer to what the posted
24 speed is may be an expectancy violation when they
25 are selecting what they feel is an appropriate

1 speed under dry, wet, snowy, icy conditions, the
2 variability.

3 The horizontal curve design,
4 again, we just reviewed that, but essentially at
5 the more southerly sections of the Red Hill Valley
6 Parkway, we have more generous curves, more
7 ability to increase our operating speeds and a
8 motorist may feel comfortable doing that. As they
9 get down to the more northerly section -- when
10 whether say down, because it's downhill as well --
11 they may not expect the congestion and the speed
12 change that's required and an appropriate
13 operating speed for more tightly designed curves
14 without a warning.

15 So, essentially, we have a
16 condition where all these curves can be operated
17 at 100 kilometres an hour. The posted speed is
18 90, but the speed differential of those designs
19 may not be readily obvious to the users.

20 Interchange spacing, likewise,
21 motorists are travelling along, they are in
22 certain sections of freeways where they've got
23 good interchange spacing, they can deal with one
24 conflict at a time. One area that people are
25 diverging or merging as the interchanges get

1 closer together, those activities increase. They
2 may be ill-prepared for not only people entering
3 and exiting simultaneously or in close proximity
4 to each other, but also the congestion that may
5 occur. And that level of congestion, as you can
6 appreciate travelling to and from work and in your
7 other travels, can vary along a freeway section
8 from day to day. So, where traffic is stopped on
9 a given day and along a corridor will be variable.

10 And, lastly, pavement
11 friction. Essentially, most of our road users
12 don't have a clue what pavement -- well, they know
13 probably of the concept of pavement friction, but
14 how it's designed and what it provides to them is
15 only based on their prior expectations of their
16 vehicle handling. So, they travel along the
17 roadway, they come up on an intersection and they
18 just about rear end the car in front of them, they
19 think, okay, that wasn't enough space for me to
20 stop. And they're constantly making judgments on
21 what they expect to pavement friction to be going
22 around horizontal curves, trying stop at
23 intersections, trying to react to other road users
24 that might be slowing or stopping or accelerating
25 into their lane. And while they may make

1 adjustments when they have obvious changes in
2 pavement friction, such as snowy conditions, icy
3 conditions, where they may -- the more prudent
4 motorist may change their following distances,
5 they change their operating speed to accommodate
6 that, they may be ill-prepared to deal with what
7 they would consider as routine dry and wet weather
8 conditions that they deal with on a regular basis
9 if the pavement friction isn't what they expect.

10 Q. And so, again, it's
11 not -- you're not seeing any one category listed
12 there is going to be a expectancy violation to any
13 particular driver, but they are the things which
14 could individually or collectively have an effect
15 on a driver?

16 A. Yes.

17 Q. Okay. And is this
18 something that's dealt with in the Highway Safety
19 Manual?

20 A. Yes. There's a section
21 in the Highway Safety Manual that deals with human
22 factors. So, as transportation practitioners and
23 road designers, we need to take into account the
24 biggest component of what causes collisions on our
25 roadways, which is the human side of things, us,

1 every day driving down the roadway, the decisions
2 we make, whether, you know, they're related to our
3 condition at the time or our prior expectations,
4 we need to understand human factors.

5 So, they do identify design
6 exceptions and how that might impact human factors
7 and how humans may interact with those design
8 exceptions, and they also talk about design
9 consistency and short-term and long-term
10 expectancies.

11 Now, they don't go -- the
12 point of the human -- or the Highway Safety Manual
13 is not to go into those into detail, but they did
14 give examples of what longer term and shorter term
15 expectancy violations may be or expectancies may
16 be --

17 Q. Can you pull it up?
18 There was a document referred. The document
19 itself was in Mr. Karim's report, but it's
20 HAM64 -- give me one moment. It's HAM64754.

21 I'm told that -- I'm not sure
22 why, that Arbitration Place might not have a copy
23 of this and, if that's the case, we could always
24 deal with it next day.

25 THE REGISTRAR: I believe we

1 don't have it in file.

2 MR. LEWIS: Okay. So, maybe
3 we can deal with it next day.

4 BY MR. LEWIS:

5 Q. I wonder, Mr. Brownlee,
6 if you could just, from memory, give us your
7 reference, if you're comfortable with doing that.
8 If not, we can take you to it.

9 A. For future reference,
10 it's image 25 and 26 of the Highway Safety Manual
11 that deals with expectations of road users, and
12 they gave three examples in bolded form of
13 short-term expectancy violations and two of them
14 are similar to the ones that's I just explained.
15 And I'll quote exactly:

16 "After driving a few
17 miles on a gently winding
18 roadway, upcoming curves
19 will continue to be
20 gentle."

21 That would be an expectancy of
22 our motoring public. And the second example of
23 the three that they provide:

24 "After travelling at a
25 relatively high speed for

1 some considerable
2 distance, drivers expect
3 the road ahead will be
4 designed to accommodate
5 the same speed."

6 Q. Okay. Thank you. We'll
7 get that, then, to Arbitration Place and we can
8 make it an exhibit for Tuesday.

9 Now, Mr. Karim deals with --
10 you talked about four areas of potential
11 expectancy violations. Mr. Karim first comments
12 on two of the four. If you go to the Karim
13 report, image 23, and, here, he's dealing with
14 design speed. He's quoting first from
15 Mr. Brownlee's report and then towards the top he
16 says:

17 "We disagree with these
18 statements."

19 And could you just tell me
20 what you -- tell us what you think -- what you
21 take Mr. Karim as saying here as a disagreement
22 about design speed potentially being an expectancy
23 violation?

24 A. So, essentially in
25 response to a road user expectations, he's

1 indicated that since this facility went through a
2 planning and approvals process, which it did, it
3 was quite rigorous, as those involved could attest
4 to, that there shouldn't be an expectancy
5 violation. And I'm not sure how those two things
6 overlap.

7 The second is that motorists
8 would -- shouldn't expect that freeways are built
9 to this same level as a 400-series highway and
10 it's not -- and he correctly identifies that the
11 Red Hill Valley Parkway is not part of the
12 provincial highway system.

13 Unfortunately, our road users
14 are not that savvy and they, in most cases, don't
15 know the difference in jurisdiction or design
16 standard between a municipal road authority, or a
17 regional, or a county road authority and/or a
18 provincial road authority. So, for them to make
19 the distinction that the Red Hill is operated by a
20 different road authority and to different
21 standards based on that particular designation is
22 a bit of a stretch to suggest that our road users
23 are that plugged into our design components and
24 who owns particular roadways.

25 Based on my experience in the

1 industry, we get numerous calls, you know, people
2 phoning from their neighbourhood road, you know,
3 phoning the Ministry of Transportation or others
4 about their roadway. And we'll see it in the
5 legal area, too, where suing road authorities that
6 have no jurisdiction with over top of a particular
7 road section or transportation facility, because
8 even after some research, it's not always obvious
9 who owns those things. To expect at a road user
10 understands those things is a bit of a stretch.

11 Q. And the next section is
12 on interchange spacing, which goes from the bottom
13 of image 23 on to the next one. If you could pull
14 that up as well, Registrar.

15 And, again, Mr. Karim starts
16 quoting from your report about interchange spacing
17 and then responds to that. The first sentence at
18 the bottom of page 20 there, after the quote from
19 you, it says:

20 "We disagree with this
21 statement that the RHVP
22 design and planning
23 process did not consider
24 influencing factors and
25 constraints while

1 selecting interchange
2 spacing."

3 Is that what you stated?

4 A. No, I did not. There's
5 no statement of that nature in my report.

6 Q. Did you mean to convey
7 that it didn't consider those issues?

8 A. No, I did not.

9 Q. Can a design and planning
10 process properly consider all applicable issues
11 and there will nevertheless be safety problems or
12 safety issues that arise notwithstanding proper
13 consideration having been given to the design?

14 A. Most definitely, and I
15 think we've covered that off quite well today.
16 And that's why road authorities, such as City of
17 Hamilton, MTO, et cetera, complete collision
18 analysis throughout their networks every year, to
19 look for those locations that are, notwithstanding
20 that they're designed to a certain standard, that
21 they are exhibiting a higher collision risk than
22 their peers.

23 Q. And it's fair to say your
24 conclusions aren't, again, a critique of the
25 design and planning process, but are observations

1 about the potential products of that process?

2 A. Correct.

3 Q. Okay. All right. And
4 then what else? Do you have any other comments
5 with respect to Mr. Karim's comments?

6 A. In terms of the
7 interchange spacing or contributory factors?

8 Q. No, the interchange
9 spacing.

10 A. I mean, essentially --
11 no, I don't see anything else that really stands
12 out.

13 Q. Then, yes, on the next
14 section is the friction is a contributing factor.
15 Previously Mr. Karim says that friction for him is
16 out of scope for his report, so he hasn't
17 performed any specific analysis on that topic.

18 But then he goes on at
19 image 24 to 25, so the second image there and then
20 bring up the next one as well, please, Registrar,
21 he then, after indicating that it's out of scope,
22 he goes on with a description of collision
23 reconstruction and so forth.

24 And I read this -- I interpret
25 this as focusing on individual accidents. Do you

1 think that's a fair interpretation?

2 A. Well, it refers to a
3 detailed collision reconstruction and a human
4 factors review of what appears to be each
5 individual collision, and he indicates that one
6 cannot come to the conclusion that pavement
7 friction is a contributory cause of a collision
8 unless you complete that specific work.

9 Unfortunately, in the industry
10 that we operate in and where we need to identify
11 contributory causes on a much larger scale,
12 transportation practitioners, for the most part,
13 do not have access to a very limited number of
14 collision reconstruction reports. They're only
15 done in very serious fatal and injury type
16 collisions in Ontario, so it's a small fraction of
17 the collisions, the fatal or the injury and
18 property damage type collisions that occur on our
19 roadways.

20 We more rely on the collision
21 histories and other indicators such as that to
22 collectively look at a longer term collision trend
23 to identify potential contributory factors. The
24 Highway Safety Manual, it's a thousand pages long,
25 does not mention collision reconstruction anywhere

1 in its bounds. It is based on technical raters,
2 statistical analysis of collision trends, and
3 that's generally what was undertaken through a
4 series of CIMA reports on the Red Hill Valley
5 Parkway.

6 Q. And so, just to
7 recapitulate that, if I understand you correctly,
8 individual collision reconstruction is, when it's
9 done, it may, if there's a need to establish a
10 cause individually, then you may indeed, as
11 Mr. Karim points out, get into that in order to
12 determine whether friction or other contributory
13 causes were involved in that particular collision.
14 That's the --

15 A. That is correct, yes.

16 Q. Okay. But not something
17 that is required or typically done for a
18 substantive safety review, which often does
19 involve the various potential causes that you were
20 talking about?

21 A. Yeah. We don't have the
22 opportunity to go into each one of those
23 individual collisions, other than potentially the
24 verbiage that's included in the motor vehicle
25 accident report. We rely on trends and when

1 something is over-representative or there's a
2 dominant collision type or characteristics, it
3 could be rear-end collisions or it could be wet
4 weather collisions, we would use that data to
5 determine, one, is it different than its peers?
6 Are there higher proportions of those types of or
7 those characteristics of collisions? And then the
8 next step is what typical contributory factors
9 would we assign to those types of dominant trends?

10 Q. Thank you. You can take
11 those down, Registrar. And speaking, then, of
12 trends -- and this is the last topic that I will
13 cover today -- is the issue of the historic
14 collision trends using the City of Hamilton's
15 annual collision reports.

16 And in your report, you
17 referred to the City's annual collision reports
18 from 2017 to 2021 under the heading Historic
19 Collision Trends. And as part of that, you
20 indicated various countermeasures, of course, that
21 we've heard about a lot from other witnesses,
22 taken by the City in 2019 particularly, but also
23 in 2020 and 2021, and, of course, that includes
24 the resurfacing in 2019 of the Red Hill.

25 And so, if we could go to

1 images 24 and 25, please. Thank you.

2 And you've set out in summary
3 at the bottom of page 22 going on to page 23 the
4 various countermeasures, including the
5 resurfacing, but also police enforcement and speed
6 limit reduction and so forth. And you indicate on
7 page 23 in the right-hand image about having
8 limited our analysis to comparison of collision
9 attribute proportions as opposed to collision
10 frequencies to exclude and account for some of the
11 effects of lower traffic volumes experienced
12 across North America during the COVID-19 pandemic.

13 And so, as you said, the
14 analysis in relation to the annual collision
15 reports that you undertook were about the
16 proportions as opposed to overall frequencies. Is
17 that right?

18 A. That's correct, yes.

19 Q. Okay. And then over the
20 next few pages there's a discussion of the
21 statistics from the annual collision reports
22 following various countermeasures and resurfacing.

23 And Mr. Karim, in his report,
24 took issue with any analysis or conclusion
25 respecting a reduction -- whether there's any

1 reduction in collisions from 2019 forward
2 following the City's countermeasures that year and
3 the resurfacing in 2019.

4 And, as I read it, first,
5 that's because Mr. Karim indicates that the data
6 set in 2019 is too small, too short a period
7 following the countermeasures and resurfacing
8 before you get to the pandemic. That's the 1st
9 thing. Is that correct?

10 A. Yes, and that's
11 acknowledged in our report as well.

12 Q. Right. I think CIMA had
13 noted that in its analysis in 2020, and I think in
14 your footnote 52 it indicates that while the
15 frequency and proportion of wet road surface
16 collisions in Q4 2019 were lower than previous
17 years, collisions are random occurrences,
18 therefore, definite conclusions cannot be drawn
19 based on four months of collision data?

20 A. Correct.

21 Q. All right. And so,
22 that's the first part, which you acknowledged.

23 And then the second part is
24 his objection about the effects of the pandemic,
25 and to put it that simply, beginning in early 2020

1 and then 2021, that because of the pandemic and
2 the effects that it had on traffic patterns,
3 everything to do with it, that those statistics
4 cannot be used for an analysis to compare any
5 patterns and trends from prior to the
6 countermeasures and resurfacing and after. That's
7 the second part of it?

8 A. Correct. Yes.

9 Q. All right. And you had
10 engaged in -- you had looked at, as indicated in
11 your report there in the middle of page 23, that
12 you limited your analysis to exclude collision
13 frequencies, but you looked at proportions,
14 collision proportions.

15 And I understand that
16 following review in consideration of the 30FE
17 report, Mr. Karim's report, that you have
18 reconsidered on that analysis and about the
19 reliability and comparability of the collision
20 statistics. You've been dealing with proportions.
21 Is that correct?

22 A. Yes. While volumes went
23 down overall throughout North America and speeds
24 went up, to draw a direct comparison and apply
25 that to a specific collision type is pretty

1 challenging in our industry and it's been now
2 established that we haven't found a factor to be
3 able to adjust for such a significant change in
4 traffic patterns and traffic speeds.

5 Q. Even with respect to the
6 proportional collision trends?

7 A. Well, one -- our
8 assumption was that with lower volumes and higher
9 speeds, that single motor vehicle loss of control
10 would -- and the increase also in fatal and more
11 serious injury collisions across North America as
12 well because of those speeds, that single motor
13 vehicle collisions would not be impacted, which
14 was one of the major contributors to some of the
15 wet weather collisions. But overall, do we make
16 that exact distinction? No.

17 Q. And, sorry, so that you
18 agree with Mr. Karim that drawing conclusions
19 about changes in the proportions after the
20 countermeasures and resurfacing is not something
21 that can be accurately done. Is that fair?

22 A. Yeah. Those three
23 together are pretty huge. There's changing the
24 pavement friction. There's a concerted
25 enforcement effort larger than I've seen in my

1 career. We had a number of delineation and other
2 roadside safety improvements, and on top of that
3 we layer a pandemic where our complete traffic
4 volume profile and speed profile have
5 significantly changed. I think that three of
6 those things together is something that we
7 wouldn't be able to deal with any statistical
8 validity.

9 Q. All right. And then
10 would you expect that -- I mean, different people
11 can argue about whether the pandemic is fully over
12 or not, but that by the last -- the last
13 information we have is for 2021, that for 2022,
14 when that information is available, that more
15 valid statistical conclusions could be drawn?

16 A. Yes. Essentially our
17 firm deals quite a bit with different
18 municipalities and doing their network screening
19 and identifying their collision frequencies and
20 rates and dominant collision types, and the
21 recommendation that appears to be taken into the
22 industry now is that we eliminated those years so
23 that we don't skew before and after analysis,
24 including any of those years, from overall
25 collision trends and start fresh in 2022 when

1 things are, as you said, relatively back to
2 normal, whatever normal is these days.

3 Q. Okay.

4 JUSTICE WILTON-SIEGEL: So,
5 could I just summarize what I think you have just
6 said?

7 I think you've said that there
8 are three factors at play from 2019 on. One is
9 the resurfacing and countermeasures, which were
10 implemented either in 2019 or slightly before
11 that, somewhat before that. Secondly, enhanced
12 traffic enforcement. And then third is a
13 significant change in traffic volume and speed
14 profile during or as a result of the pandemic
15 during the 2020 and 2021 years associated with the
16 pandemic.

17 THE WITNESS: Sorry.

18 JUSTICE WILTON-SIEGEL: That's
19 the first part. And the second part is that
20 starting in 2022, effectively you have dropped the
21 third of those considerations and you're saying
22 industry practices would permit you to then assess
23 pre and post-2019, effectively looking at the
24 consequences or the results of the first two?

25 THE WITNESS: To a certain

1 extent. We can start, yes. One would want a
2 number of years of data because --

3 JUSTICE WILTON-SIEGEL:

4 Absolutely. A data set of 6 months or 12 months
5 would not be sufficient, but you can restart the
6 process, but you can't draw statistical
7 conclusions based on the data set that you would
8 get from years 2020 and 2021?

9 THE WITNESS: No. Many have
10 attempted to. All we have been successful at
11 doing is saying that it's a pretty messed up time
12 and things are very different. We haven't got to
13 the point of how do we adjust it to be able to use
14 that data. I haven't seen anything in the
15 industry that would help us.

16 JUSTICE WILTON-SIEGEL: Okay.

17 MR. LEWIS: Thank you,
18 Commissioner. I don't have any further questions,
19 but I understand that Arbitration Place does have
20 the document from the Highway Safety Manual
21 reference that Mr. Brownlee referred to. And, as
22 I said, it was included in Mr. Karim's report, the
23 document, but it was not with Arbitration Place.
24 So, it is HAM64754 and it's particularly, just for
25 the reference, where Mr. Brownlee was referring to

1 was images 25 to 26 about contributory factors
2 under Driver Expectation.

3 BY MR. LEWIS:

4 Q. And that's what you were
5 referring to, Mr. Brownlee?

6 A. Yes. If you look at the
7 right-hand page, there's a second set of bullet
8 points that says examples of short-term
9 expectations or --

10 Q. Expectancies, I think --

11 A. Expectancies, sorry.

12 It's kind of small. And it's in the Driver
13 Expectation section, as you can see from page 25.
14 I read off the first two bullet points. There's a
15 third one there that talks about just different
16 operations on a signalized intersection corridor,
17 which I don't think is applicable. But by no
18 means is this exhaustive. They're just giving
19 examples of very typical expectancies that a road
20 user might encounter, that they have an
21 expectation or experience in a short term that
22 they're going to apply until they see a reason
23 otherwise not to apply. They've been given a
24 warning with a sign or they have used their
25 judgment to alter their operating behaviours,

1 their speed, their path.

2 Q. If we could make that an
3 exhibit, please, Registrar. I believe it is
4 Exhibit 229.

5 THE REGISTRAR: Noted,
6 counsel.

7 EXHIBIT NO. 229:
8 Images 25 to 26 about
9 contributory factors
10 under Driver Expectation
11 in the Highway Safety
12 Manual, HAM64754.

13 MR. LEWIS: Thank you. And as
14 I indicated, Commissioner, I was remarkably
15 accurate in my -- I said I would be 45 minutes
16 after the break and I was. So, I would like to
17 conclude for the day and to finish up on Tuesday
18 with our examination and cross-examination at that
19 time.

20 JUSTICE WILTON-SIEGEL: Okay.

21 Then we'll adjourn for the weekend and I will
22 simply wish Mr. Brownlee and all counsel a happy
23 Family Day weekend.

24 --- Whereupon the proceedings adjourned at 12:34 p.m.
25 until Tuesday, February 21, 2023 at 9:30 a.m.