

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Tuesday, February 21, 2023 at 9:30 a.m.

VOLUME 84

Arbitration Place © 2023
940-100 Queen Street 900-333 Bay Street
Ottawa, Ontario K1P 1J9 Toronto, Ontario M5H 2R2
(613) 564-2727 (416) 861-8720

APPEARANCES:

Andrew C. Lewis For Red Hill Valley
Chloe Hendrie Parkway

Jonathan Chen For City of Hamilton

Heather McIvor For Province of Ontario
Colin Bourrier

Rachel Laurion For Dufferin Construction
Chris Buck

Jennifer Roberts For Golder Associates
 Inc.

INDEX

	PAGE
PREVIOUSLY AFFIRMED: ROBERT BROWNLEE	15812
CROSS-EXAMINATION BY MR. LEWIS (cont'd)	15812
CROSS-EXAMINATION BY MS. JENNIFER ROBERTS	15818
CROSS-EXAMINATION BY MR. CHEN	15841

1 Arbitration Place Virtual

2 --- Upon resuming on Tuesday, February 21, 2023

3 at 9:31 a.m.

4 MR. LEWIS: Good morning,

5 Commissioner, Counsel. We have Mr. Brownlee here

6 to continue his evidence today but some issues

7 have arisen over the weekend and we need a

8 30-minute adjournment to begin at 10 a.m.

9 hopefully. If we can aim for that right now while

10 we have some discussions about that, Commissioner,

11 it would be appreciated.

12 JUSTICE WILTON-SIEGEL: That's

13 fine. Let's stand adjourned then until

14 10 o'clock.

15 MR. LEWIS: Thank you.

16 --- Recess taken at 9:32 a.m.

17 --- Upon resuming at 11:00 a.m.

18 MR. LEWIS: Good morning

19 Commissioner, Counsel, Mr. Brownlee, we're back.

20 I would like to open this

21 hearing by acknowledging that the City of Hamilton

22 is situated based on the traditional territories

23 of the Erie, Neutral, Huron-Wendat, Haudenosaunee

24 and Mississaugas. This land is covered by the

25 Dish With One Spoon Wampum Belt Covenant which was

1 an agreement between the Haudenosaunee and
2 Anishinaabek to share and care for the resources
3 around the Great Lakes. We further acknowledge
4 that the land on which Hamilton sits is covered by
5 the Between The Lakes Purchase 1792, between the
6 Crown and the Mississaugas of the Credit First
7 Nation.

8 Many of the counsel appearing
9 at this hearing today are in Toronto which is on
10 the traditional land of the Huron-Wendat, the
11 Seneca and, most recently, the Mississaugas of the
12 Credit River.

13 Today this meeting place is
14 still the home to many indigenous peoples from
15 across Turtle Island and we are grateful to have
16 the opportunity to work on this land.

17 Now, Commissioner, we are --
18 apologize for the fits and starts this morning,
19 but as I've advised participants and counsel,
20 yesterday we received some information from
21 counsel for the City, Lenczner's, respecting the
22 30FE analysis -- that's Mr. Karim's report -- on
23 two issues in the 30FE report which is
24 inconsistent with some information 30FE had
25 previously provided to us and which formed a basis

1 for Mr. Brownlee's TNS -- of TNS for his
2 consideration and testimony on those points.
3 Those two issues pertain to the 30FE collision
4 rate analysis and analysis of the single motor
5 vehicle and rear end collision comparison that
6 30FE has in their report.

7 I think that all counsel agree
8 that the most important thing is putting evidence
9 before the inquiry in a clear and orderly way for
10 your consideration rather than Mr. Brownlee
11 speculating or anticipating what 30FE has done
12 before hearing about it directly from 30FE, and as
13 such, counsel this morning discussed and agreed to
14 proceed as follows, subject to your approval and
15 direction.

16 First is we will proceed today
17 with Mr. Brownlee's continued evidence but without
18 evidence from him respecting the 30FE collision
19 rate analysis or single motor vehicle versus rear
20 end collision comparison analysis. I will finish
21 up Mr. Brownlee's evidence in-chief today with his
22 opinion respecting the contributory factors to wet
23 road collisions that are outlined in his report,
24 and then participants' counsel will cross-examine
25 Mr. Brownlee on the evidence given to date.

1 On Thursday Mr. Karim of 30FE
2 is scheduled to testify in-chief and will do so as
3 scheduled, and in the course of that we expect
4 that he will provide the explanation for his
5 analysis regarding the collision rates and the SMV
6 versus rear end collision comparison in his
7 report.

8 And then to the extent
9 necessary, at the end of the day on Thursday
10 Mr. Brownlee would be available to provide his
11 evidence on those two issues and we'll have to
12 address and consider whether that will require
13 extending the hearing day on Thursday in some
14 information but we expect that we should be able
15 to fit it all in on that day.

16 And so I will be fairly quick
17 finishing up with Mr. Brownlee now as I described
18 and participants' counsel got their estimates of
19 approximately 30 minutes each for counsel for
20 Golder and counsel for the City, with minimal
21 time, if any, counsel for the Dufferin and the
22 MTO, so I expect we would be done today by shortly
23 after 12 p.m. or thereabouts on a short day.

24 So subject to your direction,
25 that's how we propose to proceed.

1 JUSTICE WILTON-SIEGEL: Okay.
2 Well, it's certainly important that we understand
3 Mr. Karim's evidence. If this is proceeding on
4 the agreement of all counsel involved then I think
5 we should adopt that schedule. Let's proceed then
6 with the remainder of Mr. Brownlee's evidence
7 today.

8 MR. LEWIS: Thank you.

9 ROBERT BROWNLEE; previously affirmed
10 EXAMINATION BY MR. LEWIS (cont'd):

11 Q. So, Registrar, if we
12 could pull up Mr. Brownlee's report, Exhibit 221,
13 and if we could go to image 28, which is page 26
14 of Mr. Brownlee's report.

15 So, Mr. Brownlee, you were --
16 in your report you were asked about your comments
17 on the ranking by Mr. Malone of -- Mr. Malone of
18 CIMA of the greatest contribution to the
19 inordinate number of wet road crashes as he had
20 apparently relayed to Mr. Boghosian, who is a
21 lawyer, and then as recorded in Mr. Boghosian's
22 memo, and that appears in the box in the middle of
23 your page as the excerpt from that Boghosian &
24 Allen LLP letter to the City of Hamilton. And
25 then as noted in your report at the bottom, it was

1 Mr. Malone -- was reported by Mr. Boghosian to
2 have ranked them in the order shown there in those
3 four bullets.

4 Mr. Malone, shortly before
5 your report was due, he testified on I think it
6 was October 31st, as is indicated there that
7 Mr. Malone clarified in his testimony that he
8 didn't believe that he ranked those contributing
9 factors in any particular order to Mr. Boghosian
10 although he agreed that they were all contributing
11 factors.

12 And then if we can go to the
13 next page, Registrar, and if we can pull up the
14 next page and keep this page up.

15 And then the first two-thirds
16 of that page 27 you set out your views with
17 respect to the ranking of those four bullets and
18 the contribution to wet road conditions --
19 collisions. We can see your opinion set out
20 there. Does that remain your opinion?

21 A. Yes, it does.

22 Q. And if you could please
23 explain what your views are. We can read what it
24 says, but if you can give us your views I would
25 appreciate it. Thank you.

1 A. All right. So when we're
2 completing collision analysis overall in a road
3 network and at a specific site we typically will
4 look at overrepresentation or dominant collision
5 types of a certain kind and those will help sort
6 of feed into what contributory factors might be
7 leading to those.

8 So, for example, if we looked
9 at rear end collisions approaching an intersection
10 I would look at a host of different contributory
11 factors. However, if I were to see that wet road
12 surfaces were overrepresented by a significant
13 amount my attention would immediately turn to
14 pavement friction.

15 So in the four bulleted points
16 of Mr. Boghosian's letter outlining what he felt
17 were meant by Mr. Malone, I agree that compromised
18 road surface conditions or poor road surface
19 conditions, be it whether they are friction of the
20 pavement, bleeding of the pavement, polishing,
21 wetting, something that is compromising the
22 surface of the pavement that may catch motorists
23 by surprise.

24 So I've been completing
25 reviews over the last 25 years, once I see wet

1 road crashes being overly represented I
2 immediately turn my attention to pavement surface,
3 among other things, I mean, I'll always keep my
4 mind open to others.

5 Likewise, when we look at
6 those four bulleted points, the last one relating
7 to closely spaced and the proximity of on and off
8 ramps at an interchange, in general I would rank
9 that near the bottom of those four contributory
10 factors, it's one that is still prominent and
11 still can occur in certain situations but is
12 definitely not one that I would put at the top.

13 Dealing with the two sort of
14 central bullet points, number two and number
15 three. While they are worded differently, they
16 both implicate the road user speeding, you know
17 the speeds on the roadway and excessive speed and
18 the horizontal curvature of the roadway. So while
19 the first one appears to speak more to speeding
20 and how that may impact vehicle control around a
21 horizontal curve, the second one talks about the
22 curves first but then implicates the speeds.

23 So those together most
24 definitely I would rank number two. Horizontal
25 curves in general, they do contribute to more

1 collisions than you would on a tangent road
2 section. You've got road users that are
3 attempting to go around a curve. Their attention
4 is away from potentially other hazards and things
5 on the roadway. They are challenged to keep
6 vehicle control and lane keeping around a curve.
7 So we know in the industry that having a curve
8 versus a tangent you're going to have higher
9 collision experience at those.

10 But when we look at mostly --
11 in my experience when we look at issues around
12 horizontal curves our mind is in many cases turned
13 to the delineation of the curve, how abrupt it is,
14 what the motorists perceive the appropriate
15 operating speed is, the signings, the markings,
16 all those types of things. Rarely do we see snow
17 and slush and other compromised road surfaces sort
18 of coming up as an overproportion of those
19 collisions because most prudent road users when
20 they get in gravel, snow, slush, ice, they are
21 going to adjust their speeds both on the tangents
22 and the horizontal curves. So that usually
23 doesn't rise to the top in a collision history at
24 a curve.

25 However, when we start seeing

1 a large proportion of wet weather collisions it's
2 something that they would deal on a routine basis
3 and something that they may not expect to
4 compromise the road surface and the friction
5 available to them to negotiate that curve
6 significantly, all else being equal.

7 So essentially bringing those
8 two things together, the speed and the horizontal
9 curvature along the Red Hill Valley Parkway and
10 the motorist being potentially somewhat surprised
11 that they can't operate under wet weather
12 conditions typically like they would be able to,
13 would be what I mentioned on Friday, an expectancy
14 violation.

15 Q. And so if I -- and I'm
16 sure you do -- in your view there is the ranking
17 as you described, although they are nevertheless
18 interrelated because of the question of friction
19 demand in those circumstances; is that right?

20 A. Yes.

21 MR. LEWIS: Thank you. I
22 don't have any further questions, except of course
23 on the two areas that I, Commissioner, noted off
24 the top that I will deal with on Thursday. And so
25 I would, subject to any questions by you, turn it

1 over first I believe with Ms. Roberts who is going
2 to graciously go first, and followed by Mr. Chen.

3 JUSTICE WILTON-SIEGEL: Okay.

4 Ms. Roberts.

5 CROSS-EXAMINATION BY MS. JENNIFER ROBERTS:

6 Q. Well, Mr. Brownlee, we've
7 been here before. I represent Golder.

8 Registrar, thank you, you can
9 take that down.

10 I want to first address the
11 issue of design speed and posted speed. You gave
12 evidence in relation to the CIMA analysis in 2015
13 and their assessment of the numbers of drivers
14 exceeding what they theorize was the design speed
15 of 110 kilometres per hour, and in your report you
16 said based the actual design speed of
17 100 kilometres per hour, that would mean with the
18 CIMA data that between 34 and 48 percent of
19 vehicles were at or exceeding the design speed
20 given the curvature of the mainline. Do you
21 remember that?

22 A. Yes, I do.

23 Q. And that's in
24 reference -- when you're looking at the design
25 speed, and just to put it out there, that is all

1 in reference to the guidance in the MTO guide of
2 1985. That's correct?

3 A. It's -- I don't know how
4 the operating speeds are related to the guidance.

5 Q. Sorry, the design speed.
6 When I'm talking about the design speed you're
7 referring to the design speed for the curvature
8 given the 1985 MTO guide?

9 A. Correct, yes.

10 Q. And you're aware in 2017
11 that there was a revision in the TAC guidance to
12 design speed and curvature?

13 A. In terms of -- that's a
14 pretty broad statement. In terms of what?

15 Q. In terms of the
16 appropriateness of a curvature -- well, let me be
17 specific. One of the curves on the Red Hill is
18 420 metres. That's correct?

19 A. Yes, it is.

20 Q. Radius. And under the
21 2017 TAC guide, at least as I understand it, that
22 a design speed of 100 would not have been
23 considered appropriate under that modern guidance.
24 Do I have that right?

25 A. I would have to go back

1 and take a specific look at that.

2 Q. Okay. It's not one of
3 the documents before you so I don't intend to
4 belabour the point. Okay. Let's go to the issue
5 of expectation and overlapping -- what I really
6 want to go to is the issue of overlapping
7 expectation violations.

8 Registrar, can you please go
9 to appendix C, which is the second-last image in
10 Mr. Brownlee's report. Thank you.

11 You've -- this is part B, the
12 Phillips-designed middle section of the Red Hill.

13 A. Yes.

14 Q. And you pointed out, and
15 commission counsel took you to the evidence of the
16 radius of the turn.

17 So if we're starting on the
18 left side of this page and assuming we're going
19 northbound to the right, we've got a turn before
20 Greenhill which is all of the tangent; it's very
21 open. And then we go into a series of three
22 turns, 420, 450 and then 690 and then again back
23 to 525. Do you see that?

24 A. Yes, I do.

25 Q. Okay. In your report on

1 page 21 -- it's image 23, Registrar, if you can
2 briefly go to that. This is -- southbound Red
3 Hill approaching King and you're looking at the
4 issue of sight lines here?

5 A. That is correct, yes.

6 Q. I guess the point is is
7 that you've got an exit ramp you can see to the
8 right and you're saying that there's an on ramp
9 just behind where there's all that foliage that --

10 A. That is correct, yes.

11 Q. And the sight distance
12 issue is that as you're driving on the mainline
13 you can't see at this point that there's going to
14 be a ramp going off?

15 A. That is correct, and also
16 any interactions with that ramp on and off, yes.

17 Q. I think --

18 JUSTICE WILTON-SIEGEL: Sorry,
19 going off or coming on?

20 MS. JENNIFER ROBERTS: Coming
21 on. Forgive me.

22 THE WITNESS: It would be an
23 entry ramp, yes.

24 MS. JENNIFER ROBERTS:
25 Registrar, can you please go to Dufferin

1 Document 2535. I just want to go to the drawings.

2 THE REGISTRAR: Can you repeat
3 the name of the document, Ms. Roberts, please?

4 MS. JENNIFER ROBERTS: It's
5 document 2535. There we have them.

6 BY MS. JENNIFER ROBERTS:

7 Q. So these are the drawings
8 produced by Dufferin which -- and this is part B
9 to the Phillips drawings, and it's from these
10 drawings that appendix C annotated drawings were
11 taken.

12 Registrar, can you please go
13 to image 26. Let's go to -- this is coming up to
14 the King Street ramp but maybe let me go forward
15 once just to orient you. Registrar, can you
16 please go forward one image to 28, see if we can't
17 do this. And one more. And one more, please. I
18 want image 27, please.

19 Sorry. Can you hear me?

20 Okay. Sorry about that. I lost my screen.

21 So, Mr. Brownlee, can you see
22 this? Just to orient you, this is a series of
23 interchanges around King Street?

24 A. Yes.

25 Q. Now, Registrar, can you

1 go back to 26, please. There we have it.

2 So this is the mainline as --
3 to the right it's coming up to King Street, does
4 that fit with -- I expect you've looked at these
5 drawings many more times than I have,
6 Mr. Brownlee. That's what I understand. Do you
7 think I've got that right?

8 A. Yes, if I have my
9 orientations right, the photo that we were just
10 looking at was sort of from the left towards that
11 exit ramp.

12 JUSTICE WILTON-SIEGEL: So
13 this is southbound?

14 MS. JENNIFER ROBERTS: Bottom
15 is northbound and the top is southbound.

16 JUSTICE WILTON-SIEGEL: But
17 the photograph we were looking at was facing
18 southbound or northbound?

19 BY MS. JENNIFER ROBERTS:

20 Q. I actually wondered if it
21 wasn't northbound, but, Mr. Brownlee, why don't we
22 just look at it. I'm not sure particularly
23 anything turns on that particular photo.

24 A. That would be my
25 challenge, living on the opposite side of the

1 lake.

2 Q. Mr. Brownlee, would it
3 help you to look at that?

4 A. I would have to go in and
5 locate that again.

6 Q. So let's just -- let me
7 just stick with some points that I'm making. So
8 if we stick and look at the northbound lanes just
9 at first pass, on the left side of this drawing
10 there's what I understand to be a railway
11 overpass?

12 A. Yes.

13 Q. So do I understand it
14 then that the site distance for that ramp going
15 off to King Street would also have a sight
16 distance challenge because of the overpass?

17 A. It may, and that was some
18 of the challenges with looking at design drawings.
19 It's generally what I was showing in my photo
20 there with some of the foliage that was on the
21 inside of the ramp as you're leaving the freeway.

22 Q. Just for clarity, this
23 is -- if I think I've got it right, this is the
24 point at which we've got the 420-metre radius
25 turn, if you look at the apex of that turn on this

1 drawing?

2 A. It appears to be. Yes,
3 it is that location.

4 Q. Okay. So in terms of
5 you've got -- if you're driving on the northbound
6 you've got the railway overpass, you've got an
7 exit going off to King Street and you've got the
8 tight turns. Are those sort of a summary of the
9 geometric and -- geometric features?

10 A. Yes.

11 Q. And, Registrar, can we
12 please go to the next image. Thank you. So then
13 we've gone past the ramp going off and you see
14 here King Street, and you see the ramp going on to
15 the mainline at the bottom of this drawing?

16 A. Yes. The previous
17 drawing was coming from the left of this one and
18 now there is a ramp that's coming onto the Red
19 Hill Valley Parkway Parkway.

20 Q. Okay. So on the
21 northbound lanes there's now three lanes because
22 the bottom lane is -- becomes a weaving lane?

23 A. That's correct.

24 Q. And just to touch on it,
25 because I think it's -- so if you look at the

1 southbound side of the mainline here you've got a
2 ramp you can see from King Street going on the
3 mainline?

4 A. Yes.

5 Q. And this again is another
6 turn in this area and I think this is the
7 450-metre radius turn. Do I have that right?

8 A. Yeah, I think that was
9 the one that went through the interchange and
10 beyond, yes.

11 Q. Okay. And so just so
12 that I understand it, Mr. Brownlee, when you are
13 coming onto the mainline from that ramp, this is
14 on the southbound side, you're coming on on a
15 turn?

16 A. Are you talking about the
17 one to the upper part of the drawing or to the
18 lower?

19 Q. To the upper part. I'm
20 looking at the southbound right now?

21 A. Yeah. You would be
22 approaching on a curved ramp onto a curved road
23 section, yes.

24 Q. And does that create
25 itself some challenges in terms of sight distance?

1 A. Yes. Any time that
2 you're travelling along a curve there's potential
3 for sight distance issues on what the available
4 road is ahead. I haven't been able to measure
5 those from the design drawings, but it would
6 create an additional challenge to a tangent road
7 section, yes.

8 Q. So a driver trying to
9 enter from King Street on the southbound mainline
10 has the challenge of navigating the ramp, the
11 continued turn, and had a difficulty in actually
12 seeing oncoming traffic on the mainline?

13 A. Yes, he or she would be
14 entering into traffic trying to make that decision
15 while negotiating a curve and trying to maintain
16 their line and there potentially would be some
17 sight distance issues there.

18 Q. On the northbound side
19 again you've got a ramp coming onto the Red Hill
20 mainline, you've got that weaving lane we've
21 identified, and you've got on bound traffic
22 negotiating entrance into the Red Hill on that
23 450-metre radius turn, correct?

24 A. Yes.

25 Q. Registrar, can you please

1 go to the next image. So this one is not so
2 exciting. This one just shows the bottom -- if I
3 say on the northbound we've got three lanes and
4 that shows the weaving lane, the bottom lane,
5 between King and Queenston?

6 A. Yes.

7 Q. Okay. And if I
8 understood your testimony that the distance
9 between interchanges of the King and the Queenston
10 are the tightest in the Red Hill?

11 A. That is correct, yes.

12 Q. And they are
13 significantly under the recommended guidance of
14 2 kilometres?

15 A. Yes, it is.

16 Q. And just I think to
17 orient you for that photograph that you took, I'm
18 assuming that you took it -- it's on the
19 southbound side, you took it somewhere just past
20 that ramp going off to King Street?

21 A. Potentially. I either
22 was approaching King Street on the other end or
23 this end, but that would be the southbound
24 direction, yes.

25 Q. Okay. So that foliage is

1 somewhere in the -- likely somewhere where that
2 ramp in the mainline is?

3 A. Yes.

4 Q. I'm just orienting you,
5 thanks. Registrar, can we go down one image
6 please. Go to the next image.

7 So here we have -- so this I
8 think is the ramp to Queenston, and perhaps I
9 didn't address it. So when I talked about the
10 distance between the interchange at King and the
11 interchange at Queenston, here you've got the exit
12 ramp going off to Queenston. I think that's
13 right, isn't it?

14 A. Yes, it is.

15 Q. And so in that area of
16 the very tight spacing between interchanges you
17 had that weaving lane which was constrained by the
18 distance between those two roads?

19 A. Yes. We would have
20 individuals who are trying to enter from the
21 upstream ramp and other individuals would be
22 attempting to exit at the ramp we have on the
23 screen right now.

24 Q. Registrar, if you can
25 please go to the next image. All right. So then

1 you can -- yes, clearly marked on the top of this
2 drawing you can see, just to orient you, that it's
3 got the Queenston Road, you've got -- on the
4 bottom part of a drawing you've got the ramp from
5 the mainline onto Queenston?

6 A. Yes.

7 Q. It looks as though that's
8 a stop sign there; is that right? Is that a light
9 or something at the exchange with Queenston?

10 A. Off the top of my head I
11 can't remember if that is signalized or not or if
12 it's controlled.

13 Q. Okay. Not important.

14 And again --

15 A. It's a [indiscernible]
16 ramp so I would assume it's signalized but it may
17 not be.

18 Q. And looking at the
19 southbound, so the top of that drawing, there's
20 another ramp from Queenston onto the southbound
21 mainline?

22 A. That is correct, yes, the
23 -- there.

24 Q. And again, just as the
25 other one, in this one it's -- I think this is

1 about where 450-metre radius turn is?

2 A. It's I think a little

3 further to the --

4 Q. To the right. Okay. So

5 this is 690?

6 A. Yeah, that would be -- if

7 I remember correctly, yeah. I don't have the

8 overall plan in front of me but the 450 is through

9 the King Street interchange.

10 Q. Sorry, it's farther to

11 the north?

12 A. To the south.

13 Q. Sorry, yes, you're right.

14 The turn is 690 there and then it goes into 525 on

15 the other side of that interchange?

16 A. Right.

17 Q. That's right. Thank you.

18 Okay. So just looking at the southbound, again

19 we've got an issue with a ramp coming onto the

20 mainline on a curve?

21 A. Yes, it is.

22 Q. And again, just as you

23 explained when we were looking at the ramp onto

24 King Street, that that creates challenges in terms

25 of sight distance for both drivers on the mainline

1 as well as for people trying to go onto the
2 mainline from the ramp?

3 A. If there is -- where the
4 orange markings are, if there's any kind of
5 vertical features there, trees, any kind of
6 structures or anything that's going to block the
7 sight lines, but otherwise if that area is
8 relatively open then the merge would be pretty
9 typical other than it's on a curve as you pointed
10 out.

11 Q. Thank you, Registrar.
12 You can take that down. Can we please go back
13 to -- can you please go back to the appendix to
14 Mr. Brownlee's report which is the second-last
15 image. I want to look at just the whole thing
16 again. Thank you.

17 So I took you to the drawings
18 that are the details for individual portions that
19 you can see on this drawing. What I want to try
20 and understand, because your report talks about
21 expectation violations in terms of speed and the
22 radius of the turns and the interchanges.

23 When I look at this, and
24 correct me if I'm wrong, what you've got though is
25 you've got overlapping expectation -- expectancy

1 violations, particularly in this area around King
2 and Queenston. You've got a speed at the maximum
3 for the design, you've got tight curvature, close
4 interchanges, tight weaving distances, and
5 although you haven't been able to measure the
6 sight expectancy, you clearly have some challenges
7 in terms of sight distances. So you don't have
8 one issue of expectancy, you have a number of them
9 all at the same time, do you not?

10 A. Yeah. This is obviously
11 one of the more challenging areas in the design of
12 the Red Hill, and we are bringing together, yeah,
13 closely spaced interchanges, weaving sections;
14 we're going to have vehicles that are trying to
15 negotiate the curves, they are going to be coming
16 up on vehicles that are entering and/or exiting
17 and they need to monitor their progress and are
18 they going to be in conflict with you as you
19 travel along this freeway section.

20 And putting interchanges close
21 together is also going to cause potentially
22 congestion, especially if there any kind of
23 turbulence with the weaving sections. So there
24 could be potentially a greater probability of
25 congestion and backup and slowed or stopped

1 vehicles, speeds that you may not expecting a free
2 flow facility.

3 Q. And do those factors come
4 together to create a circumstance, as you've
5 described it, as effectively a very high demand on
6 the driver?

7 A. In this corridor it's the
8 higher demand area of this corridor, yes. In
9 terms of workload yes. I think we're speaking of
10 motorist workload.

11 Q. I'm trying to understand
12 your language, Mr. Brownlee.

13 Okay, Registrar, could we
14 please go to Dr. Flintsch's report. That's
15 EXP191. I think it's Exhibit 220, page 7, which I
16 think will be image 9. Thank you.

17 So in the paragraph just below
18 this figure 2 it looks at the ARA -- Dr. Flintsch
19 starts to discuss the ARA measurements that were
20 taken in 2019 before the Red Hill was resurfaced,
21 and he -- his report says in that paragraph that
22 the average friction remained approximately the
23 same level as when the MTO performed its final
24 testing in 2014 and the average FN(90) for the
25 four lanes ranged between 31 and 35.

1 Do you see that?

2 A. I see it, yes.

3 Q. If -- Registrar, can we
4 please go image 11. So this is a figure where he
5 spotted the ARA -- wait, wait. I've got page 9
6 please, Registrar. Thank you. I'm trying to stay
7 on your image on the image numbers. It's a bit
8 scrambled between us.

9 So this is Dr. Flintsch
10 plotting the ARA test results. And again what you
11 can see is he's plotted against locations, and
12 when I look at this it appears that the friction
13 just as he's described is hovering a little
14 above 30, a couple places below, but in that
15 Greenhill/King Street/Queenston on the northbound
16 lane it's in that range. Is that what you see?

17 A. It's hard to tell from
18 all these points. I think it would be better for
19 Mr. Flintsch to answer the question about what the
20 percentage -- or what the values are in there.
21 It's a pretty random plot.

22 Q. Okay. So he says in his
23 report that they are averaging between 31 and 35.
24 And my point is is that the friction isn't
25 particularly variable on the Red Hill. The

1 averages is in fact pretty consistent.
2 Dr. Flintsch and Dr. Uzarowski describe the
3 friction on the Red Hill as relatively low, and
4 Mr. Hein, who is the expert for the City, he
5 disagrees and says well, that analysis is too
6 conservative and that friction is within the norm
7 in Ontario.

8 My point is is that friction
9 is not changing, but if we go to -- let's just go
10 to a different document, Registrar. Let's go to
11 the roadside safety assessment. That is the
12 Hamilton 54495. Can we please go to page 10 which
13 I think is image 16. It's section 3.1.5,
14 collision by location. Thank you.

15 So this evidence is in various
16 places and I'm assuming, Mr. Brownlee, that you've
17 looked pretty closely at the location of
18 accidents, haven't you?

19 A. I think I was looking at
20 different plots in the -- potentially in the CIMA
21 report but yeah, I do see there's a trend here,
22 yeah.

23 Q. So my -- just a point to
24 the obvious here, is that around the area
25 Greenhill to King and King particularly in the

1 mainline you've got location of accidents being
2 quite high on this area which we just looked at
3 where there are significant number of geometric
4 challenges?

5 A. Yes.

6 Q. So you've taken us
7 through the evidence -- Registrar, you can take
8 that down, thank you.

9 You've taken us through the
10 evidence of the factors which create expectancy
11 violations on the Red Hill in this area,
12 Greenhill, King, Queenston. The friction -- and
13 we've looked at that and it's clear that that
14 overlaps with significant numbers of accidents,
15 correct?

16 A. Sorry, I missed that last
17 combination.

18 Q. The location that I've
19 taken you through which has the high number of
20 expectation violations in the geometry speed that
21 we've gone through in some detail also correlates
22 with areas in which there are significantly higher
23 numbers of accidents, correct?

24 A. That is correct, yes.
25 Some correlation for sure.

1 Q. I've taken you to
2 Dr. Flintsch's evidence that the friction doesn't
3 change in those areas, right? So -- and I just
4 want to dig into your conclusion in your report
5 identifying surface friction as the primary
6 contributing cause to the high -- an
7 overrepresentation of whether -- crashes.
8 Friction is not changing so I don't understand how
9 you get there. Do you say that friction should be
10 the primary contributing cause?

11 A. To wet weather
12 collisions -- or, sorry, wet road collisions, yes.
13 So the reason we're seeing that specific trend in
14 those areas is that's where the greatest demand
15 for friction is. When you're travelling along a
16 straight road section with a relatively gentle
17 grade you're not starting, stopping or turning.
18 When you get into more challenging topography and
19 you want to change lanes and there's others that
20 are doing the same, that is where you're going to
21 be demanding the friction.

22 The expectancy violation is
23 that during what we consider routine weather
24 conditions, not snowstorms and freezing rain and
25 things of that nature, we have motorists that feel

1 they are travelling at an appropriate speed and
2 being able to negotiate curves at a -- based on
3 their experience and their expectations in those
4 cases are being challenged.

5 Q. And that's because the
6 consequence of the expectancy violations in those
7 locations is that there's a very high demand on
8 friction?

9 A. There's a higher demand
10 on friction when you're going around curves and
11 when you're dealing with interchanges, yes.

12 Q. Thank you for your
13 patience, Mr. Brownlee. Those are my questions.

14 A. Thank you.

15 JUSTICE WILTON-SIEGEL: If I
16 can try -- not trying to put words in your mouth,
17 but effectively you're saying that in those areas
18 use of the threshold of FN30 may not be
19 appropriate because in those areas the friction
20 demand that a highway should respond to would be
21 higher. Is that fair?

22 THE WITNESS: In general,
23 yeah. I mean, motorists are travelling at speeds
24 that they feel are comfortable for their vehicle,
25 that they have had in the past. When they are

1 dealing with trying to stop for slowed vehicles to
2 jockey around a vehicle entering onto the highway
3 they are going to be demanding friction and they
4 sort of have an expectation of what that is and
5 the risks they are going to take in terms of how
6 close they are following other vehicles, how
7 aggressive they might turn to get off of a ramp
8 that they didn't realize was happening so quickly,
9 and that would be the expectancy violation that
10 their vehicle doesn't handle like it should
11 because of reduced friction values in part.

12 JUSTICE WILTON-SIEGEL: Put
13 another way, given the way a driver would be
14 expected to negotiate these areas, that includes
15 speed in particular, you're saying the demand for
16 friction would be higher because there may be more
17 stops and starts or weaving and turning --

18 THE WITNESS: That's correct.
19 I'm sorry.

20 JUSTICE WILTON-SIEGEL: That's
21 what you're saying.

22 THE WITNESS: Yes.

23 JUSTICE WILTON-SIEGEL: Thank
24 you. Give me just a moment to make my notes. And
25 broadly, if I understand your evidence, there are

1 two principal factors. One would be, if I can
2 lump them all together, other driver behaviour,
3 weaving, slowing down, congestion which might
4 involve stopping and the like, and the other would
5 be issues specific to a particular motorist that
6 translate into shorter stopping or sight distances
7 and related issues of geometry, negotiating a
8 curve -- upon entering from a curved on-ramp and
9 similar instances. Is that broadly correct?

10 THE WITNESS: Yes.

11 JUSTICE WILTON-SIEGEL: Okay,
12 thank you.

13 MR. LEWIS: I believe Mr. Chen
14 is up next.

15 MR. CHEN: Thank you,
16 Mr. Lewis. Commissioner, may I proceed?

17 JUSTICE WILTON-SIEGEL: Yes,
18 please do, Mr. Chen.

19 CROSS-EXAMINATION BY MR. CHEN:

20 Q. Mr. Brownlee, I'm one of
21 the lawyers for the City of Hamilton. If we could
22 just start kind of going back to the understanding
23 with respect to the guidelines, and I wanted to
24 clarify some of those points.

25 I take it you would agree,

1 Mr. Brownlee, that the MTO design guide are not
2 guides that municipalities are required to follow.

3 A. They can choose to follow
4 them. It's the provincial manual, and some choose
5 to apply that manual, others choose to apply other
6 standards and guidance.

7 Q. And they are therefore
8 guidance. I think that's word you just used?

9 A. Yes.

10 Q. Those guides provide what
11 may be typical or the beginning points of a
12 particular design criteria?

13 A. Yes.

14 Q. And within that parameter
15 there may be design exceptions?

16 A. For sure, yes.

17 Q. And so a particular
18 design criteria would allow for exceeding or
19 deviating from what is typical?

20 A. Yes, if properly assessed
21 and built into the design, overall design, yes.

22 Q. And so when we talk about
23 properly assessed, these guidelines permit
24 engineering judgment to be applied to address
25 constraints or obstacles that may arise when

1 you're applying it to the real world, I think is a
2 word you've used?

3 A. Yes, that's what I've
4 said.

5 Q. Adjust to real world
6 conditions, something to that effect, right?

7 A. Yes.

8 Q. And it's not always the
9 case that one framework would fit every roadway?

10 A. No, there's going to be,
11 yeah, variations through your design.

12 Q. Those are the points I
13 wanted to clarify for the guidelines.

14 Now, turning to design speed.
15 In your report, and we can bring it up, it is
16 image 6, EXP192. Image 4, sorry about that. Here
17 you talk about the MTO design guide in the context
18 of the roadway speed, and in the first paragraph
19 through say the guide -- in the third line -- the
20 guide does not provide prescriptive guidance on
21 selecting or posting speed limits. Do you see
22 that?

23 A. That is correct.

24 Q. And then we can agree
25 that the 1985 MTO design guide was the governing

1 design document for the Red Hill when it was
2 designed?

3 A. That's my understanding,
4 yes.

5 Q. In that guide it provides
6 a general guidance and ranges for selecting design
7 speeds and posted speeds?

8 A. It provides general
9 guidance.

10 Q. Doesn't provide
11 prescriptive guidance on selecting or posting
12 speed limits?

13 A. It provides a range of
14 values that can be provided, and then it provides
15 additional guidance on top of that.

16 Q. Right. So this kind of
17 goes back to applying a professional engineering
18 judgment in terms of what value, what speed limits
19 to use based on the guidance?

20 A. Yes.

21 Q. And so it's recognized
22 that there may be circumstances in which the
23 general guidance set out in the design guide
24 simply can't be followed?

25 A. There might some

1 geometric challenges, topography, other
2 constraints on a project where you may not be able
3 to -- for the entire section or very specific
4 areas not be able to meet that standard.

5 So there's a number of ways of
6 dealing with that is to look at the overall design
7 and the design speed that you're going to choose,
8 or to design a specific component to a lesser
9 value and sign and market it and provide warnings.

10 So that's the two ways that a
11 transportation practitioner can deal with areas
12 that are particularly challenged.

13 Q. Understood. But here, as
14 I understand it, you'll agree that the
15 100 kilometres per hour design speed, it was
16 within the range of the design speed identified
17 for freeways?

18 A. It was in the range that
19 was permitted, yes.

20 Q. And as I recall your
21 evidence on Friday, you're not challenging the
22 engineering judgment of the planners of the Red
23 Hill?

24 A. No, I wasn't there and I
25 don't know what -- I can read what some of their

1 challenges were, but I -- can't appreciate all the
2 different pieces that they were trying to
3 incorporate into the design while meeting some
4 other high priority criteria for the community.

5 Q. And then in your report
6 you talk about CIMA and their recommendations and
7 their understanding of the design speed. If we
8 can turn to image 6, please.

9 So you say in that first
10 paragraph, fourth line, "If CIMA have been advised
11 of the actual design speed they would have
12 identified the significant disparities between the
13 posted, design and operating speeds, and
14 potentially adjusted their assessment scope,
15 assumptions," and so on; is that correct?

16 A. Yes.

17 Q. So just looking at
18 table 5, which is right below that paragraph, RHVP
19 operating speeds, the first row states that the
20 average speed of the Red Hill northbound is
21 95 kilometres per hour and southbound is
22 99 kilometres per hour, correct?

23 A. Yes.

24 Q. And you would agree with
25 me that the change in the assumed design speed

1 does not impact the average speed identified in
2 that first row?

3 A. Well, the average speed
4 is something that's measured in the field so --
5 I'm not --

6 Q. If there's a change in
7 the design speed that first row, doesn't affect
8 the numbers the first row?

9 A. It would impact the way
10 you would perceive those numbers. If you have
11 110 kilometre design speed and you have 50 percent
12 on average roughly doing less than that, that
13 doesn't cause you too much concern. If now you
14 are dealing with 100 kilometre an hour design
15 speed, those numbers now you have 50 percent of
16 the people that are approximately getting close to
17 exceeding that.

18 Q. That's a separate
19 explanation for a different question. All I'm
20 asking you is these two numbers in the first row
21 will not change. I think we agreed on that. I
22 think you're telling me how they are perceived.
23 I'm just asking you if these number would change
24 if the assumed --

25 A. They wouldn't change

1 to -- those are based on what road users are
2 travelling at on the Red Hill Valley Parkway
3 Parkway. They don't know what the design speed
4 is. However, it would change how somebody
5 completing an operational review or a safety
6 review of that corridor would look at those
7 numbers for sure.

8 Q. No, I appreciate that is
9 your view.

10 A. So I think you're looking
11 for the first part, which is road user doesn't
12 know what the design speed is. They are
13 travelling at a pretty close speed of what they
14 feel is appropriate and the average speed that you
15 see there is what is a result of those choices.

16 Q. So I thought my question
17 was simple. The numbers here won't change if the
18 assumed design speed changes?

19 A. That is correct.

20 Q. We're the same page.

21 A. Okay.

22 Q. All right. Good. So the
23 second row, 85th percentile speed, it's 110 --

24 JUSTICE WILTON-SIEGEL:

25 Mr. Chen, I don't know that I would like to

1 interject, but since I've going have this question
2 at the end and it sort of impacts the line of
3 questioning that you're following, I think I
4 should put it to Mr. Brownlee now.

5 In the paragraph above, as you
6 pointed out, Mr. Brownlee is suggesting that if --
7 I'm not suggesting the word change of design speed
8 is correct, I think that's wrong and misleading.
9 If in fact CIMA had been advised the actual design
10 speed as 100 rather than 110 he says that could
11 have affected, among other things, potential
12 remedial actions. And if I understand
13 Mr. Brownlee's analysis, that could then have an
14 impact on the average speed. Is that correct,
15 Mr. Brownlee?

16 THE WITNESS: Depending on the
17 remedial actions, yes.

18 JUSTICE WILTON-SIEGEL:
19 Depending on the remedial action. A change in the
20 posted speed or large warning signs or something
21 of that sort.

22 THE WITNESS: Yes.

23 JUSTICE WILTON-SIEGEL: So
24 there is an interrelationship here that I think
25 the last question and answer didn't really

1 address.

2 MR. CHEN: So

3 Mr. Commissioner, my question was simply, looking
4 at the first row and whether that number would
5 change, if CIMA was aware that the design speed
6 was 100 kilometres per hour.

7 JUSTICE WILTON-SIEGEL: Right.

8 And as I understood that -- understood Mr.
9 Brownlee's answer to be, the answer is
10 potentially.

11 MR. CHEN: I understand --

12 JUSTICE WILTON-SIEGEL: If
13 there had been as a result of that CIMA would have
14 recommended remedial actions which, when
15 implemented, would have affected the average speed
16 then the answer is under those circumstances it
17 could have had an effect.

18 MR. CHEN: I appreciate
19 Mr. Brownlee is talking about the effect and the
20 recommendations. My question is focused on the
21 table specifically, and as I understand when
22 Mr. Brownlee had indicated that the average speed
23 row is simply setting out the measured speed of
24 the study that was --

25 JUSTICE WILTON-SIEGEL: Why

1 don't you proceed with your questions.

2 BY MR. CHEN:

3 Q. Am I correct about that,
4 Mr. Brownlee, that the average speed would be
5 providing the measured speed at the time of the --
6 that's what this table is --

7 A. Yeah, that's essentially
8 the speed, the average speed of those making
9 choices of their operating speed on the Red Hill
10 Valley Parkway Parkway and most of the motoring
11 public doesn't know what design speed is. They
12 know that they can exceed the design speed by a
13 certain amount within a reasonable margin of
14 safety, but if I asked anybody what the design
15 speed was outside of this script today they
16 probably wouldn't know.

17 Q. When you say had CIMA
18 known about the design speed being 100 kilometres
19 per hour and you've provided the additional
20 statistic of 33 to 48 percentage of vehicles,
21 perhaps that role would change. But the 85th
22 percentile speed, the average speed, those two are
23 measured speeds, they would have changed?

24 A. Those are based on what
25 motorists are making choices on, yes.

1 Q. Right. Then the third
2 row, exceeding speed limit, that shows 60 percent
3 for northbound and 72 for southbound. That's
4 referring to the posted speed limit?

5 A. That is correct, yes.

6 Q. And I think we can agree
7 that the correct posted speed at the time, and
8 CIMA knew, was 90 kilometres per hour?

9 A. Yes, they documented
10 that.

11 Q. Looking at the last row
12 in this table, exceeding 140 kilometres per hour,
13 that states that over 500 vehicles a day are
14 travelling at speeds exceeding 140 kilometres,
15 correct?

16 A. That's what it suggests,
17 yes.

18 Q. Again, this is another
19 line that would not be impacted had CIMA known
20 that the design speed was 100?

21 A. It's measured but it is a
22 pretty stark contrast to 100 kilometres per hour
23 design speed. 40 kilometres over the design speed
24 would definitely heighten my interest and I think
25 most transportation practitioners.

1 Q. And it's 50 kilometres
2 per hour, if I have my math right, over the posted
3 speed limit?

4 A. Heighten attention to
5 that particular component and how it may impact
6 collisions, yes.

7 Q. And 30 kilometres an hour
8 over the design speed would be also significant,
9 would you agree?

10 A. I would agree, yes.

11 Q. We talked about the
12 disparities and -- by looking at rows 1, 2, 3 and
13 5, we can agree that based on that CIMA would have
14 seen a relatively significant disparity between at
15 least the posted speed and the exceeding 140
16 kilometres speed?

17 A. Yeah, yes.

18 Q. So CIMA would have had
19 all that information and could have taken that
20 into account when developing the recommendations
21 that they made in the 2015 CIMA report?

22 A. They would have taken
23 into account or they may have paid attention to
24 more specific things, such as geometry, knowing
25 that some of the components were designed to --

1 right down to the minimums of the design speeds of
2 the day. But not everything is based on operating
3 speeds. You're looking at a number contributory
4 factors along this corridor, everything from
5 surface conditions, clear zones, geometry, all
6 those things. So had they been aware of the
7 design speed of 100 kilometres an hour they may
8 have focused on some different areas, including
9 geometry.

10 Q. I take it we can agree
11 that the geometry on the roadway, the markings,
12 the barriers, they are what they are on the Red
13 Hill Valley Parkway Parkway regardless of whether
14 -- at the time of CIMA doing the assessment,
15 regardless of whether they understood the design
16 speed was 100 or 110, right?

17 A. Well, if that was what
18 was constructed -- I mean, that's what we're
19 trying to agree on, so it's out in the field, yes.

20 Q. When it comes to
21 selecting a design speed I take it we can agree
22 that the common practice is the selected design
23 speed of 10, 20 kilometres over the posted speed
24 limit for a paved roadway?

25 A. Yes, that's -- I've

1 written that in many reports.

2 Q. Including your primer. I
3 actually took it from your primer.

4 A. Yeah.

5 Q. We can agree that that
6 common practice would be known by CIMA; they have
7 been in the industry for some time?

8 A. Yes, they would be aware
9 of it.

10 Q. That common practice is
11 likely why CIMA assumed the design speed to be
12 110?

13 A. The common practice of
14 posting higher order roadways such as arterials
15 and freeways 20 kilometres and over is where -- I
16 assume that they made that assumption. Sorry for
17 the double assumption.

18 Q. No problem.

19 I take it you agree that it
20 would be fairly obvious that the percentage for
21 those travelling at or exceeding the design speed
22 would increase if the design speed was lower than
23 what was assumed.

24 A. That is correct, yeah,
25 all else being equal.

1 Q. You wouldn't expect that
2 the percentage to go down if you lowered the
3 design speed?

4 A. No, because the operating
5 speed is what it is and -- if you change the other
6 one it's going to change the percentage.

7 Q. Can we agree that CIMA
8 didn't state in the report that if their assumed
9 design speed is incorrect that their conclusion
10 would change?

11 A. Generally -- I mean,
12 while we always make provisions in our reports if
13 things change that our conclusions may change, I
14 don't know if you would -- I would have expected
15 them to comment on if the design speed changes.
16 They had an assumed design speed that they were
17 evaluating and that's what they carried through
18 their report. I'm not sure they would -- I've
19 never seen somebody that didn't say well if the
20 design speed changes and the posted speed changes
21 my opinion is going to change. I generally don't
22 see statements of that nature.

23 Q. Mr. Brownlee, I put it to
24 you that if CIMA was in fact concerned about their
25 conclusions changing because the design speed --

1 there would be a change the design speed of 100
2 kilometres instead of 110, they would have
3 identified that to the City in the report going to
4 them.

5 A. They are working forward
6 on an assumption that they thought was correct. I
7 don't know if they would need to put all those
8 provisos in there for every component of their
9 evaluation.

10 Q. It was an assumption. It
11 was an assumption. They assumed the design speed?

12 A. It was assumed, yeah,
13 based on industry practice.

14 Q. Just moving on the
15 interchange basic, Mr. Brownlee.

16 A. Yes.

17 Q. You comment that the
18 majority of interchanges on the Red Hill were
19 spaced less than specified?

20 A. Yes.

21 Q. In your report when you
22 say less than specified, you mean that the
23 interchanges on the Red Hill Valley Parkway will
24 have space less than the general guidance in the
25 design guide?

1 A. That's correct.

2 Q. And we've agreed that
3 design guide is not prescriptive?

4 A. That is correct, yes.

5 Q. And that concept applies
6 to the general ranges for interchange spacing as
7 well, right?

8 A. Yeah, there's always
9 going to be occasions where you make a deviation
10 for a specific interchange spacing.

11 Q. And just with
12 interchanges, one of the constraints that may
13 apply is the reality of the locations of the
14 pre-existing arterial roadways by which traffic
15 will access?

16 A. Yeah. That's recognized
17 in the manual, yes.

18 Q. And in the case of the
19 Red Hill at the time that it was built the City's
20 system of arterial roads is already in place, or
21 by and large it was in place?

22 A. Yes.

23 Q. So not all the roadways,
24 the City roadways, but which traffic needed to
25 gain success to the Red Hill were ideally spaced

1 at two to three kilometre intervals as a result of
2 that?

3 A. That's correct.

4 Q. During your evidence last
5 Friday you were shown a table of the interchange
6 spacing for other urban freeways. I take it you
7 agree that on other urban freeways we've seen
8 cases where they are less than the two kilometres
9 from the MTO design guide?

10 A. Yes, there are
11 interchanges that are closer spaced, albeit they
12 are not in some cases full interchanges.

13 Q. Understood. Moving on to
14 the contributory factors topic that you touched on
15 last week and today. So your point relates to the
16 overrepresentation of specific collision
17 attributes for wet weather collisions, correct?

18 A. Yes.

19 Q. As I understand it,
20 overrepresentation has a specific meaning in road
21 safety?

22 A. Yes, it does.

23 Q. So when you're assessing
24 whether there's an overrepresentation you are
25 looking at whether there is an inordinate

1 frequency of a specific collision attribute when
2 compared to payer transportation facilities,
3 correct?

4 A. That is correct, yes.

5 Q. So just to break that
6 down, of course you -- in order frequency. So can
7 I interpret that to mean arterial discrepancy of
8 some sort?

9 A. Yes.

10 Q. And then when we say
11 specific collision attribute, that could be rear
12 ends, wet weather, that type of thing?

13 A. Yes.

14 Q. And then a payer facility
15 would be a roadway or a segment of a roadway with
16 similar characteristics to the one you're
17 concerned about?

18 A. It could be. There's
19 different comparisons you can make like to the
20 overall experience in a community or within a road
21 network or to very specific attributes that are
22 related to the signalized intersection.

23 Q. And so the -- can we
24 bring up image 29. It's a bit small, footnote 58,
25 Mr. Brownlee. That's the definition when you

1 think of overrepresentation?

2 A. Yes.

3 Q. Thank you, Mr. Registrar.

4 Throughout this -- we've been using the phrase you
5 want to compare apples to apples. I take it you
6 agree with that?

7 A. Yes.

8 Q. If you don't have -- if
9 you don't compare payer transportation facility
10 event, given the definition, you can't conclude
11 that there is an overrepresentation, right, as
12 that term is used in the road safety field?

13 A. Depending on the analysis
14 and the attribute that you are actually looking
15 at, you can make comparisons without having payer
16 transportation facilities that are exactly the
17 same, like looking at rear end collisions
18 approaching a signalized intersection, is good
19 example.

20 Q. Right.

21 A. To have exact same
22 attributes between them and to do a before and
23 after study, if I see 50 percent of the collisions
24 occurring on one approach of a signalized
25 intersection there's something potentially going

1 on beyond regular motorists' operations. There's
2 geometric or other attributes that are
3 contributing to that specific dominant collision
4 type. I don't need to do a university-based
5 50-sight evaluation to tell you that's an
6 overrepresented dominant collision type.

7 Likewise, something more
8 familiar to this. If I see 50 percent, 40 percent
9 wet weather collisions at an intersection on a
10 road section, on a freeway, that's going to peek
11 my interest. That's not something I see every day
12 in my analysis. Do I need a study of peer
13 locations to tell me that that's something pretty
14 substantial? After 25 years, no. That's
15 something that would speak to me right away.

16 Q. Correct me if I'm wrong
17 but I think what you're saying is that you may not
18 always have the ideal circumstancing. You might
19 not always have the full data segments, correct?

20 A. Sorry, I misunderstanding
21 the question.

22 Q. Let me try to rephrase
23 that. We've talked about your definition which is
24 set out in footnote 58, correct?

25 A. Yes.

1 Q. When we're doing an
2 overrepresentation analysis we have to at least
3 look at a payer transportation facility, correct?

4 A. Yeah, system of
5 transportation facilities, yes, so --

6 Q. Understand.

7 A. System of transportation
8 facilities, yeah, like a network. And your
9 experience in dealing with other networks and
10 analysis over period of time to look at the
11 dominant -- or the percentages, the distribution
12 of collisions over days of the week, surface
13 conditions, lighting conditions, et cetera.
14 Likewise -- I mean, that's a good example,
15 illumination. If there's a large percent of
16 collisions that are occurring during dark lighting
17 conditions we generally know where that should sit
18 in the grand scheme of things when we're looking
19 at an intersection, or a road section, and whether
20 it's overrepresented or not.

21 Q. But we can agree that
22 when you're looking at the roads in those networks
23 you should at least have similar
24 characterizations, going back to the apples to
25 apples concept, right?

1 A. Certain -- yeah, if
2 you're dealing with certain small amounts but
3 there's some that -- yeah, generally you would
4 like to have a good peer transportation facility
5 to compare it to and --

6 Q. -- yes --

7 A. -- getting at, yeah.

8 Q. I think we're on the same
9 page.

10 A. But do we need the exact
11 replica, 10 different sights to be able to compare
12 that to? Not necessarily. Some of the collision
13 attributes will speak from the data that are
14 inordinately out of the range that we typically
15 would expect them on any component of the road
16 network.

17 Q. I'm just trying to
18 understand your word, perhaps you can clarify it
19 for me. Your report doesn't provide an
20 overrepresentation analysis of wet weather
21 collisions on the Red Hill, correct?

22 A. No, we weren't asked to
23 do any independent analysis. We relied on the
24 five-year collision analysis that CIMA had
25 prepared.

1 Q. I'm a bit confused by --
2 so you didn't undertake an overrepresentation
3 analysis?

4 A. No, I relied on others
5 that prepared that on behalf that of the City of
6 Hamilton.

7 Q. So I know I've seen in
8 the CIMA report reference to provincial and
9 municipal averages. Is that what you're referring
10 to when you say you relied on other analysis?

11 A. Yes. They looked at the
12 statistical significance between those data sets
13 and the Red Hill, yes.

14 Q. And those talk about all
15 roads, right? Those provincial averages, they are
16 all roads?

17 A. That is correct, yes.

18 Q. You made a reference to
19 various statements of expectancy violations and
20 the Highway Safety Manual on Friday. Do you
21 recall those statements?

22 A. Yes.

23 Q. Mr. Brownlee, I take it
24 you have some familiarity with that manual then?

25 A. Yes, I do.

1 Q. Could we call that up,
2 HAM64754. Perhaps we can go to image 3. Do you
3 see that?

4 A. Yes, I can.

5 Q. Perfect. Just in the
6 first paragraph, A.1, purpose of the HSM. Just
7 generally, do you agree, Mr. Brownlee, that the
8 focus of the HSM is to provide quantitative
9 information for decision making?

10 A. From a safety
11 perspective, yes.

12 Q. In other words, it's a
13 way to make data driven decisions?

14 A. You got the right term,
15 yes.

16 Q. Just jumping to the next
17 paragraph, be A.2, first sentence says:
18 "Prior to this addition of the
19 HSM transportation
20 professionals did not have a
21 single national resource for
22 quantitative information about
23 crash analysis and
24 evaluation."
25 Do you agree with that

1 statement?

2 A. Not a single national
3 source, no. The components were spread out,
4 essential.

5 Q. So this Highway Safety
6 Manual, the Highway Safety Manual was developed in
7 around 2010?

8 A. Yes, it was.

9 Q. So you and I can agree
10 that from a timing perspective the Highway Safety
11 Manual was not available as a resource when the
12 Red Hill Valley Parkway was designed?

13 A. Not in its packaged state
14 but some of the industry knowledge definitely
15 would be in and around then. It didn't just pop
16 up. It's been stuff that was percolated for a
17 number of years and research studies that had been
18 around for years and they were packaged together,
19 in addition to some new research as well.

20 Q. And I don't intend to
21 take you through the entire 1,000 page manual.

22 A. I hope not.

23 Q. There is a history
24 section of how it all came together.

25 A. Yes.

1 Q. But if we can go to image
2 4, the fourth page, make sure I have it right
3 here. Starting at line 77, Mr. Registrar, 77 to
4 87. If we could call that out.

5 Mr. Brownlee, starting the
6 fourth line. It says that there's no such thing
7 as absolute safety. I take it you would agree
8 with that general proposition?

9 A. Yes.

10 Q. And that:
11 "There is risk in all highway
12 transportation, a universal
13 objective is to reduce the
14 number and severity of crashes
15 within the limits of available
16 resources, science, technology
17 and legislated mandated
18 priorities."

19 Is that fair?

20 A. That's what it says, yes.

21 Q. Now just turning to one
22 of the purposes of the Highway Safety Manual. As
23 I understand -- we can bring this down, thank you,
24 Mr. Registrar.

25 One of the purposes of the

1 Highway Safety Manual is to identify factors that
2 contribute to crashes. Is that one thing it does?

3 A. Yes.

4 Q. My apologies,
5 Mr. Registrar, I just meant the call out. If you
6 can bring that document back up at image 238 and
7 239.

8 The bottom left of your screen
9 there's a section called contributing factors for
10 consideration. Do you see that?

11 A. Yes.

12 Q. It starts with:
13 "Examples of contributing
14 factors associated with a
15 variety of crash types that
16 are provided in following
17 sections and the examples may
18 serve as a check list to
19 verify that a key contributing
20 factor is not forgotten or
21 overlooked."

22 Do you see that?

23 A. Yeah, it says "examples"
24 so it's not meant to be a complete list. I know
25 they quote a check list but it's very high level.

1 Q. Right. But it does say
2 it's a check list to verify that a key
3 contributing factor is not forgotten. It's not
4 purporting to set out every contributing factor if
5 you read on.

6 A. That is correct.

7 Q. If we can turn now to
8 image 240. This shows Exhibit 6-3, possible crash
9 contributing factors along roadway segments.

10 A. Yes.

11 Q. If we go down one, two,
12 three, four, crash type, you see wet pavement?

13 A. Yes, I do.

14 Q. It lists out four
15 possible contributing factors?

16 A. That is correct, yes.

17 Q. The first one is pavement
18 design, e.g., drainage and permeability; second is
19 inadequate pavement markings; third is inadequate
20 maintenance; and the fourth is excessive speed.

21 A. Correct.

22 Q. That's what you see?

23 A. That's what I see.

24 Q. And we can agree that
25 friction is not listed as one of the key

1 contributing factors that the HSM has identified?

2 A. Well, the AG does not
3 contain it only to those two factors they are
4 putting in there. Pavement design, you've heard
5 from Mr. Flintsch, includes pavement friction,
6 includes drainage and includes permeability, cross
7 slope, a whole lot of things. So I realize that
8 somebody that isn't within the road safety field,
9 or hasn't been very long, may construe that to be
10 strictly drainage and permeability, but I can
11 guarantee you that a transportation practitioner
12 with sufficient experience when they look at wet
13 pavement conditions as being overrepresentative
14 would not turn their mind away from the surface
15 conditions.

16 Q. I take it you're
17 referring to me when you were talking about
18 someone not in the industry. But perhaps I can
19 point out to you that in this particular exhibit
20 you'll see the reference to slippery pavement as
21 a separate --

22 A. -- yes.

23 Q. You agree with that?

24 A. Yes. And one of the
25 areas that it's identified is single vehicle run

1 off the road collisions.

2 MR. CHEN: Mr. Commissioner,
3 if I can have five minutes to have a look at my
4 notes?

5 JUSTICE WILTON-SIEGEL: By all
6 means. It's 12:35. We'll return at 12:40.

7 --- Recess taken at 12:35 p.m.

8 --- Upon resuming at 12:41 p.m.

9 MR. CHEN: Mr. Brownlee, just
10 a couple of questions on a different topic.

11 JUSTICE WILTON-SIEGEL: Go
12 ahead.

13 BY MR. CHEN:

14 Q. Mr. Brownlee, you talked
15 about the concept expectancy violation and in
16 particular with respect to design speed.

17 A. Yes.

18 Q. Just to go back. When we
19 talk about expectancy violation we're referring in
20 part to the expectation of drivers that are formed
21 by what they see on the roadway and the
22 environment; is that correct?

23 A. Yes.

24 Q. So that would include
25 things like curvature as an example. You see the

1 curve coming up so you process that?

2 A. Yes.

3 Q. And is it fair to say
4 that a driver forms an expectation of how fast
5 they should be driving on a given roadway based on
6 what they see around them?

7 A. Yes. The alignment of
8 the roadway, the distances on the side, widths of
9 a lane, all those types of things, yes.

10 Q. So with respect to the
11 speed it's formed -- it's based in part on the
12 physical attributes of the roadway?

13 A. Yes.

14 Q. Is it fair to say that a
15 main way of addressing a potential expectancy
16 violation with speed, at least, is to put up speed
17 limit signs along the highway?

18 A. It assists to a certain
19 extent, yes.

20 Q. And the posted speed on
21 the Red Hill which we covered earlier, not now but
22 before, was 90 kilometres an hour?

23 A. That is correct.

24 Q. And the posted speed on
25 400 series, that's typically 100?

1 A. Yes, it is.

2 Q. Would repeating speed
3 limit signs along the highway serve as a
4 consistent reminder to the driver?

5 A. We would hope so, yes,
6 but the motorists still make the decision based on
7 what they think their tolerances are, the
8 conflicts around them, and the roadway
9 environment.

10 Q. But they are there for
11 that purpose?

12 A. They are there for that
13 purpose, yes.

14 Q. When we're thinking about
15 speed limit signs as providing warning, putting
16 them near or close to physical attributes like a
17 curve, that may also help to manage expectations?

18 A. If the posted speed is
19 appropriate for that curve and they understand
20 their operating speeds if they can travel through
21 that curve, yes.

22 Q. In addition to speed
23 limit signs, I take it the presence of speed
24 enforcement would also manage driver expectation?

25 A. When it's present, yes.

1 Q. Can we agree that drivers
2 who drive onto the Red Hill Valley Parkway from an
3 adjacent highway would encounter a road sign that
4 says Red Hill Valley Parkway?

5 A. Potentially they may.
6 I'm not sure. I haven't done an evaluation of the
7 guide signs but...

8 Q. Well, all I'm saying is a
9 driver knows that they are entering the Red Hill
10 Valley Parkway.

11 A. I actually don't know if
12 they have signs that say Red Hill Valley Parkway.
13 I apologize.

14 Q. Fair enough. Just before
15 I leave that point, a sign that says -- whether
16 it's the Don Valley Parkway or the Red Hill Valley
17 Parkway, that may be something that impacts the
18 expectancy of the driver?

19 A. I don't think a road name
20 is going to change their opinion. Most people
21 don't know if they're driving on a provincial
22 highway or a county road. They don't make that
23 distinction. They base it on physical attributes
24 of the roadway and what they feel is appropriate
25 for the conditions they are driving through and

1 any kind of hazards they may encounter and what
2 the appropriate operating speed is. They are
3 going to take into account the posted speed in
4 that evaluation but it's only one of the
5 considerations.

6 We see it on freeways where
7 they've got an 80 kilometres per hour posted speed
8 for construction zone. I challenge you to find
9 anybody who is doing 80 kilometres an hour if
10 there is no pertinent reason, geometric feature or
11 operating condition that's going to make them
12 change their speed.

13 Q. But if the sign is there
14 that says Red Hill Valley Parkway, that forms --
15 and they see it, that forms part of their
16 expectations, correct?

17 A. Potentially if they live
18 in Hamilton or they watch the news, but anybody
19 who's not familiar with Hamilton I would be
20 challenged to -- for them to understand there is
21 actually Red Hill Valley Parkway and it has a
22 certain difference than other freeways that they
23 would drive on on a regular basis. The motoring
24 public is not that knowledgeable, I don't think,
25 unless, as I said, you're local to the area.

1 Q. I would like to give them
2 a bit more credit than that. Someone who sees a
3 sign that says Red Hill Valley Parkway is not
4 going to think they are driving on like a 400
5 series, right?

6 A. It looks like a 400 --
7 it's a controlled access freeway which has a
8 certain flavour to it. They don't need to worry
9 about stop signs and traffic signals, they have
10 interchanges that would be coming up and would be
11 well signed so they have advance warning, they are
12 going to select a speed that they feel comfortable
13 under a controlled access freeway environment.

14 Q. And along the Red Hill
15 Valley Parkway, and we've touched on this, there
16 are certain notices and markings or speed limit
17 signs that are doing what they are supposed to do
18 in terms of providing notice?

19 A. They're definitely
20 notified that it's a 90 kilometres per hour posted
21 speed, yes.

22 Q. It's not -- is it fair to
23 say it's not an individual sign, it's kind of the
24 cumulative effect or the combination of different
25 signage and markings that can work together to

1 manage expectations; is that fair?

2 A. I'm not sure what
3 markings you're referring to.

4 Q. I just mean the speed
5 limit signs being repeated, speed enforcement,
6 seeing that a curve is coming up. When we talk
7 about driver expectation we're not focused on a
8 singular effect, we can also think about it in a
9 cumulative effect.

10 A. Yes, they are taking all
11 those things into consideration as they're driving
12 down roadway and selecting their speed.

13 Q. Thank you, Mr. Brownlee,
14 those are my questions.

15 JUSTICE WILTON-SIEGEL:
16 Mr. Lewis?

17 MR. LEWIS: I don't have any
18 questions.

19 JUSTICE WILTON-SIEGEL: Well,
20 then, I take it that we're through for the day and
21 we'll adjourn until tomorrow at 9:30.

22 Before we go, thank you
23 Mr. Brownlee for attending yet again. I gather
24 you may still be required on Thursday. So we'll
25 wait to see whether there's any further testimony

1 we require from you, but in the meantime thank you
2 very much for your assistance and your testimony.

3 We'll stand adjourned then until 9:30 tomorrow
4 morning. Thank you.

5 --- Whereupon at 12:50 p.m. the proceedings were
6 adjourned until Wednesday, February 22, 2023 at
7 9:30 a.m.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25