

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Friday, February 24, 2023 at 9:30 a.m.

VOLUME 87

Arbitration Place © 2023
940-100 Queen Street 900-333 Bay Street
Ottawa, Ontario K1P 1J9 Toronto, Ontario M5H 2R2
(613) 564-2727 (416) 861-8720

INDEX

	PAGE
AFFIRMED: DAVID HEIN	16264
EXAMINATION BY MR. CHEN	16264
EXAMINATION BY MS. JENNIFER ROBERTS	16345
EXAMINATION BY MR. LEWIS	16364
EXAMINATION BY MR. CHEN (CONT'D)	16412

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
222A	Report of David Hein dated February 1, 2023	16267
238	Affidavit of Ron Sabo affirmed February 23, 2023; RHV1043	16364

1 Arbitration Place Virtual

2 --- Upon resuming on Friday, February 24, 2023

3 at 9:30 a.m.

4 MR. LEWIS: Good morning,
5 Commissioner, Counsel, Mr. Hein. Today we have
6 David Hein testifying in relation to a report he
7 filed with the evidence to be led by counsel for
8 the City, Mr. Chen, followed by cross-examination.
9 Mr. Hein is the last witness. And if the court
10 reporter could affirm Mr. Hein's evidence we can
11 get started.

12 AFFIRMED: DAVID HEIN;

13 EXAMINATION BY MR. CHEN:

14 MR. CHEN: May I proceed?

15 JUSTICE WILTON-SIEGEL: Yes,
16 please, Mr. Chen.

17 BY MR. CHEN:

18 Q. Before we get started,
19 Mr. Hein, would you please confirm that you
20 understand that as an expert witness you are to
21 provide evidence that is fair, objective, and
22 nonpartisan?

23 A. Confirmed, I affirm.

24 Q. Just speak up just a
25 little bit.

1 A. Certainly. Confirmed.

2 Q. Thank you. And,

3 Mr. Registrar, could we now please call up

4 Mr. Hein's report which is M64775.

5 Mr. Hein, do you see that on
6 the screen?

7 A. Yes, I do.

8 Q. You prepared this report
9 for the inquiry?

10 A. That's correct.

11 Q. And the report contains
12 your opinions?

13 A. That's correct.

14 Q. Mr. Commissioner, one
15 housekeeping matter. I understand that there was
16 a data entry error in one of the bar graphs in
17 Mr. Hein's report. That is at image 9, if you
18 could just bring that up.

19 That's the one, Mr. Hein?

20 A. That's correct.

21 Q. Figure 5, southbound
22 lane 2. And so last night we circulated a
23 document which is at HAM64785. So you'll see the
24 original figure 5 at the top of that document and
25 then the updated -- the slight variation in the

1 updated bar graph at the bottom.

2 Is that right, Mr. Hein?

3 A. That's correct.

4 JUSTICE WILTON-SIEGEL: And
5 what's the difference?

6 THE WITNESS: The graph on the
7 bottom has slightly higher or lower numbers.
8 There's a transposition error in calculating the
9 averages.

10 JUSTICE WILTON-SIEGEL: I see.
11 Okay.

12 MR. CHEN: So Mr. Hein's
13 report is Exhibit 222. I would ask that this
14 document either be made the next exhibit, or my
15 other suggestion would be to make it 222A, if
16 that's possible.

17 MR. LEWIS: If it's possible
18 it would probably be practical to make it 222A. I
19 don't know if it is.

20 JUSTICE WILTON-SIEGEL:
21 Possibility is I think more technical than legal.
22 I have no objection. Can you do that,
23 Mr. Registrar?

24 THE REGISTRAR: Exhibit 222A,
25 noted.

1 EXHIBIT NO. 222A: Report of
2 David Hein dated February 1,
3 2023.

4 BY MR. CHEN:

5 Q. Mr. Hein, let's start by
6 going through your qualifications first. And his
7 CV in the report starts at image 21 which,
8 Mr. Commissioner, is A-1 appendix A page 1 if
9 you're going by the report.

10 JUSTICE WILTON-SIEGEL: Thank
11 you.

12 BY MR. CHEN:

13 Q. All right. Mr. Hein,
14 does this CV accurately state your qualifications?

15 A. Yes, it does.

16 Q. So I just want to take
17 you through some of it. It's obviously very long
18 with all your experiences and I just want to
19 highlight a couple of them. Let's start with your
20 education. You obtained a bachelor of science
21 from the University of Waterloo in 1984?

22 A. That's correct.

23 Q. You have a professional
24 engineer designation in Ontario?

25 A. Correct.

1 Q. And now, I just want the
2 turn the heading on this page, areas of expertise.
3 I understand you have 38 years of design,
4 evaluation, and management of transportation
5 infrastructure experience?

6 A. Correct.

7 Q. And just what's in those
8 three areas, design, evaluation and management?
9 Where does friction management or friction
10 measurement come into play?

11 A. It comes into play in all
12 of them, all the areas.

13 Q. How so?

14 A. In terms of design
15 aspects, it's designing road infrastructure to
16 ensure that it's -- it has the proper smoothness,
17 has the proper frictional properties, the
18 aggregates that are associated with it. In the
19 evaluation process, it's roads that are existing
20 where we go and we evaluate the current condition
21 that they are in and what we need to do to restore
22 it to a higher level of service, in other words,
23 taking something that's 60 out of 100 and making
24 it closer to 100. And then this management aspect
25 is we look at the entire network. So this is all

1 of the roads in a particular municipality or
2 province or state, and we monitor their condition
3 over a number of years to help make decisions and
4 budgets for future restoration projects.

5 Q. Thanks, Mr. Hein. I'm
6 just going to ask you to slow down just a tad as
7 we go on.

8 A. Understood.

9 Q. And now turning to your
10 employment history, you've worked at a few places.
11 TRO, John Emery Geotechnical Engineering Limited,
12 and ARA?

13 A. That's correct.

14 Q. Just to summarize, is it
15 correct that they all engineering consulting
16 firms?

17 A. That's correct.

18 Q. And you specialize in
19 pavement engineering?

20 A. That's correct.

21 Q. So let's just talk about
22 TRO first. You were a pavement engineer from 1984
23 to 1985?

24 A. That's correct. I
25 started out as a student working in two work terms

1 with the firm, who then hired me into this
2 pavement specialty group when I graduated.

3 Q. And what does a pavement
4 engineer do?

5 A. A pavement engineer does
6 everything with respect to design, evaluation, and
7 management of roads, runways, anything that you
8 drive on basically.

9 Q. It was a relatively short
10 period at TRO, but what type of work did you do
11 there?

12 A. Being a new person, I
13 typically did field-related work, monitoring
14 construction quality, laboratory testing of
15 materials, and basically evaluation type projects.

16 Q. And then your next
17 experience was at John Emery or we've heard it
18 being called as JEGEL, from 1986 to February 2000.
19 Can you talk about that experience?

20 A. When we were at TRO three
21 of us, John Emery, who is principal of JEGEL, left
22 the company and a couple of us followed with him.
23 So I was one of the initial founding partners of
24 JEGEL, and the work we focused on was pavement
25 engineering. It was a fairly specialty activity

1 that wasn't done by a lot of people at the time,
2 and so we worked in all kinds of forensic projects
3 with municipalities, with MTO, with other agencies
4 across Canada and some overseas as well.

5 Q. With respect to
6 municipalities, did you have any mandates related
7 to rehabilitation?

8 A. Much of the work that we
9 did was rehabilitation. Examples were projects
10 related to city of Toronto where we brought in new
11 technology, which at the time was new,
12 microsurfacing, to Canada. And I was involved in
13 writing specifications and monitoring the quality
14 of the construction work that was being done.

15 Q. So when you say you
16 brought it to Canada, did you actually use it?

17 A. Yes, yes, it was -- it
18 came from the United States. It was the first
19 time that it had been used, and we used it
20 actually to rehabilitate or to fix a new hot
21 in-place recycling project that was done poorly
22 and so we needed to place a new surface on it.
23 And so we were following -- John was a very
24 innovative guy and liked to do new things and so
25 we identified microsurfacing as a potential

1 solution to this problem.

2 Q. Where was the
3 microsurfacing done?

4 A. It was done on
5 Morningside Drive in the City of Toronto.

6 Q. You had mentioned doing
7 innovative things. What about SMA?

8 A. SMA was -- my first
9 encounter with SMA was as a part of a Canadian
10 Japanese conference that took place every four
11 years. And we were invited to Japan, and the
12 Japanese showed us several new technologies they
13 had, including hot in-place recycling, and we were
14 introduced to stone mastic asphalt, which we then
15 brought back to Canada. We were present there
16 with one of the owners of a large construction
17 company who saw the value of this, and we did the
18 first test section of SMA in North America in
19 Miller Avenue in Markham.

20 Q. Sorry, which avenue?

21 A. Miller Avenue. It's
22 where Miller Paving is located, now Colas.

23 Q. I understand you worked
24 with Ludomir Uzarowski at JEGEL?

25 A. Yes. Ludomir completed

1 his master's degree in the UK, in Nottingham, and
2 found that we had the only Nottingham testing
3 machine in Canada and literally walked in the door
4 into my office looking for a job and we hired him.

5 Q. Thank you for that.

6 Following JEGEL for about 20 years you worked at
7 ARA, Applied Research Associates, correct?

8 A. That's correct.

9 Q. And at ARA you were a
10 principle pavement engineer and also the VP of
11 transportation in the infrastructure division?

12 A. That's correct. I was
13 hired by gentleman that was working for ARA at the
14 time named Dr. Jim Hall. Jim was the gentleman
15 who did the first national cooperative highway
16 research project on friction and the principal
17 author of the friction guide that was eventually
18 produced by AASHTO.

19 Q. And as a VP of
20 transportation you led a team?

21 A. Yes. I lead a team of
22 approximately 90 engineers and technicians in
23 eight or nine offices in both Canada and the
24 United States doing similar work. We were a
25 specialty pavement engineering firm.

1 Q. Were you involved in
2 friction measurement and pavement work in both
3 Canada and the US then?

4 A. Yes, my group was the one
5 that was responsible for the locked wheel testing
6 equipment, collecting friction data.

7 Q. We'll come to your
8 specific experience. Generally who are you
9 typically hired by and for what in that
10 experience?

11 A. Typically governmental
12 organizations. So these would be federal
13 government, Transport Canada, Federal Highway
14 Administration. My group did -- I had the
15 research group as a part of my purview as well,
16 and so we did a lot of work for the US Federal
17 government. We then worked for many of the U.S.
18 states and Canadian provinces, and then
19 municipalities across Canada, across United
20 States. Also several projects overseas as well.

21 Q. Can you talk about your
22 pavement preservation experience at ARA?

23 A. Pavement preservation is
24 a name, almost a religion of keeping good roads
25 good. And so this started in Michigan. It was a

1 national centre for pavement preservation. I was
2 very actively involved with these groups in
3 developing tools and guidelines for using them,
4 and the national guide for municipal pavement
5 infrastructure in Canada, I was the author of
6 several of the research project including timely
7 preventative maintenance and other thin surface
8 restoration techniques. And so I had a very large
9 focus on pavement preservation activities for a
10 number of years.

11 Q. Would that involve
12 questions like should you mill and overlay or
13 should you do something anything else, that type
14 of consideration?

15 A. Exactly the case. We
16 developed many more techniques than we used to
17 have. We used to just put a new layer of asphalt
18 on top of the old one, but when we developed
19 things like the milling machines and thinner, less
20 expensive, higher quality interventions so that we
21 could make roads last longer and by doing that,
22 saved money.

23 Q. Can you bring up
24 image 22. Just before this there's a heading
25 called "Professional Affiliations," but here you

1 were involved with TAC, the Transportation
2 Association of Canada?

3 A. Yes correct.

4 Q. What's TAC?

5 A. So TAC is a volunteer
6 organization in Canada that brings together
7 transportation professionals. Canada is one of
8 the only countries in the world that doesn't have
9 a national body, governmental body that's
10 responsible for transportation. Transport Canada
11 used to own all the airports in the country. In
12 March of 2000 they were all divested to the
13 private sector or to nonprofit groups.

14 So TAC is the only group that
15 we have in Canada where provincial agencies,
16 counterparts in municipalities, engineering
17 professions like myself, get together to help
18 advance the transportation knowledge in Canada.
19 We write guides, we do training in aspects ranging
20 from the environment to pavement engineering to
21 materials to geometric design, a whole variety
22 to -- workforce development, for example, as well.
23 So it's our de facto national transportation
24 group.

25 Q. And just the first bullet

1 there, it says past chair, pavement Standing
2 Committee '86 to present. Can you tell us about
3 that committee?

4 A. The pavement Standing
5 Committee is one of the largest committees in TAC.
6 We have more than 120 I think people who sit on
7 that committee. I've been involved in it since I
8 got out of school back in 1984. During the late
9 1990s I believe it was I was voted to be a member
10 of the executive of that committee, so I would
11 have been the secretary first, then the vice
12 chair, then the chair, and then the past chair.
13 So it was a four-year voluntary activity.

14 And I was responsible for the
15 setting up of meetings, for chairing those
16 meetings and helping develop research topics and
17 things that we would like to do as a group. The
18 TAC pavement design and asset management guide
19 came from this committee as well. So we would --
20 as part of the executive I would steer it through
21 the process of getting published.

22 Q. You recently received a
23 distinguished service award?

24 A. Yes. Very surprised and
25 very pleased. But very few people get that award

1 and it means a lot because you spend a lot of time
2 doing volunteer work over the years and then
3 you're recognized for it, so it's a nice thing to
4 know.

5 Q. Congratulations.

6 A. Thank you.

7 Q. So we're already on the
8 page, perfect. Pavement engineering, there's a
9 heading there. Does that section include your
10 pavement friction work?

11 A. Yes, it would.

12 Q. And so can we also bring
13 up the next image, 23 as well. I just want to ask
14 you about a couple of these. On A3, just the page
15 to your right, the first and second bullet, so it
16 talks about the 407.

17 A. Yes.

18 Q. Could you describe that
19 experience.

20 A. The 407 highway, my
21 involvement in it has gone back to the early
22 1990s. I was the pavement designer of record that
23 selected the pavement types and materials. I
24 followed after construction being retained by
25 407 ETR to help them with their pavement

1 management activities, condition rating systems,
2 et cetera. Part of their requirement was that
3 they have a -- in their concession ground lease
4 agreement had a requirement for friction testing,
5 and so we -- my company, since we owned the
6 company, were also hired to do that testing and
7 reporting to both the ETR and MTO.

8 And then eventually also
9 advancing it further. When the national guide
10 came out in 2009 EFI 407 wanted to develop a
11 friction management plan, so I'm the author of
12 that plan. And with guidance from Jim Hall, who I
13 mentioned hired me earlier, developed that
14 friction management plan with a group of people,
15 with ETR and us as consultants. And then
16 continued that further in doing collision analyses
17 on an annual basis.

18 Friction testing was done
19 every two years and collision analysis was done
20 using the friction data from the previous years to
21 the most recent data to evaluate potential areas
22 where we would look to potentially improve the
23 roadway in terms of friction.

24 Q. I'm just going to ask
25 that you try to slow down just a little bit more.

1 Thank you.

2 Then a couple of bullets below
3 your 407 experience there are a number of pavement
4 surface friction testing mandates for I see the
5 Huntsville and Owen Sound district, Region of
6 Durham, Region of York, Highway 407 east phase 1,
7 Windsor Essex, Highway 407 east phase 2.

8 Can you talk about if there
9 are similar areas between that work in terms of
10 the equipment that you used and so on.

11 A. In the earlier days when
12 I was at JEGEL the testing was typically done
13 using a British pendulum. You've heard about this
14 already, but it's a small device developed in the
15 UK that's used like a pendulum. Or fulcrum to
16 measure friction so Durham region, for example,
17 being older would have been with a British
18 pendulum.

19 There was some other work
20 using a device called a flow meter, a different
21 method of measuring friction. And the majority of
22 these, including Region of York and the public
23 private partnership projects, the 407 east,
24 Windsor Essex parkway, for example, all of those
25 were done with the locked wheel trailer, ASTM

1 brake force trailer.

2 Q. Did you try the grip
3 tester for any of those --

4 A. Yes. So our experience
5 with 407 ETR, we were bringing the friction tester
6 into Canada on an every two-year basis to do
7 testing projects. It requires crossing the
8 border, paperwork and things like that, and so
9 while we are required to test using that device
10 specifically on 407 and all of the other ones you
11 see here, we wanted to have something that was
12 more of a continuous basis, something that was
13 less expensive than a brake force trailer.

14 And so we had a supplier
15 Canada of the grip tester, and so we purchased one
16 for ETR and we used it in between to go and test
17 in years we didn't have the brake force trailer
18 present. And we did an evaluation program to see
19 how well that device would correlate with the
20 locked wheel tester. Unfortunately that wasn't
21 certainly the best, but at least it gave us some
22 more detailed numbers that we could look
23 relatively if the friction was staying the same or
24 was changing with time.

25 Q. We'll likely get into

1 this later, but you said that -- I think you said
2 the correlation between the grip tester and the
3 locked wheel did not go well?

4 A. Yes.

5 Q. And maybe in just one or
6 two sentences what do you mean by that?

7 A. We tested it at various
8 speeds, we tested at various conditions, and we
9 found that the one-to-one correlation between the
10 equipment was not very suitable. It was very low.
11 It was a correlation coefficient if I recall .3,
12 .4, something like that.

13 Q. So outside of the 407
14 experience, do you have other experience with the
15 grip test?

16 A. My other experience --
17 direct experience was in -- my company sold
18 equipment and technology and training to the
19 Dominican Republic, and so what that included, as
20 well as pavement strength testing equipment, was a
21 grip tester, which is what they wanted. And so I
22 go back a ways with Tradewind Scientific and Len
23 and I went down and taught the Dominicans how the
24 use the equipment.

25 Q. Len as in Len Taylor,

1 right?

2 A. Len Taylor, that's
3 correct, from Tradewind.

4 Q. You seem to know everyone
5 who has testified on friction matters.

6 A. It's a small group.

7 Q. So turning to the
8 forensics and litigation heading which is at
9 image 30. And I'm going to take you again to your
10 407 experience. It's further down on the page
11 where it says detailed evaluation, legal action
12 including arbitration experience, for significant
13 early age asphalt concrete cracking. So I'm not
14 interested in the legal action part but more about
15 the cracking and rehabilitation that was done
16 there.

17 A. In 2007 fall into the
18 spring of 2008 we started noticing some very
19 strange looking cracking on the east section of
20 407 ETR's asphalt. Cracking appeared -- it just
21 was almost a random pattern. And so we were very
22 concerned that this was going to affect the
23 performance of our pavement and so we did a field
24 evaluation, took cores, did extensive laboratory
25 testing, and were not able to figure out exactly

1 what the problem was; it basically passed all of
2 our tests.

3 Our concern was that we were
4 going to -- we didn't want the cracks propagating
5 from the surface into the lower layers, damaging
6 those lower layers, and so the highway was going
7 to be widened within a year or two and so we used
8 microsurfacing on top of that cracking to
9 determine if we were going to get an extension of
10 life. We built a 500-metre test section. What we
11 found from that cracking was that it immediately
12 within one winter cracked through the surface of
13 the microsurfacing. So it stuck to the pavement,
14 but ultimately it was not really the solution for
15 our we call it top down cracking.

16 Q. And now moving further
17 down to image 35, which is page 15, and just for
18 context, this is in the airport pavement design
19 evaluation and management section of your CV. And
20 just kind of in the middle of the page there's a
21 reference to the Canadian Forces and the use of
22 the skidabrader. Can you tell us about that
23 experience.

24 A. The skidabrader is a
25 device built by company called Humble Equipment

1 Louisiana. It is a unique device developed to
2 remove rubber from surfaces of roadways or
3 surfaces of pavements -- airport pavements. And
4 so when aircraft land on a runway the wheels are
5 spinning and they leave some rubber from it on the
6 pavement surface, and the national -- we heard of
7 this equipment and suggested to the national
8 defence folks that this might be the be suitable
9 for use in rubber removal contracts. And so we
10 brought it into Canada from the U.S. It was not
11 and still not available any place outside the U.S.
12 So we brought it into TRO's steel shop at the
13 pavement surface and to remove that rubber
14 material.

15 I also had the opportunity to
16 use it for surface texturization, I will say, on
17 Guelph line in the region of Halton where there
18 was a tight curve and there was some accidents
19 occurring where vehicles were going into this
20 house actually. And so we tried to use -- we
21 thought the skidabrader might be suitable for use
22 so we tried it. It was the summer. Didn't work
23 too well because the steel shot got stuck in the
24 asphalt. So we waited until colder temperatures
25 and we treated the surface using the skidabrader.

1 Very little experience had been had with using it
2 on roadways at this point in time, and it made it
3 coarser but it also damaged the asphalt surface so
4 we didn't it was particularly suitable for that
5 kind of a treatment.

6 Q. And so you talked about
7 the skidabrader. What experience do you have with
8 shot blasting?

9 A. Shot blasting is --
10 skidabrader, you might call it shot blasting but
11 it really sent because it's using steel balls.
12 Shot blasting is usually using sand or other
13 gritty aggregates like silicon carbide, and so you
14 are blowing it out. The skidabrader used a
15 circular device the steel balls to surface, so
16 they are a little bit different than each other.

17 Q. The question was what
18 experience do you have with --

19 A. We did shot blasting for
20 the rubber removal on Pearson airport, on the
21 concrete and asphalt pavements there as well.
22 There's also soda blasting. There's other things
23 you can throw at the pavement surface and none of
24 them were very effective.

25 One other I might add is

1 BlasTrac. It's a device that's used to abrade the
2 surface of the pavement. And so sometimes it's
3 used to improve macrotexture and I've used that on
4 407 ETR as well.

5 Q. Right, yeah, the BlasTrac
6 has come up in the course of the inquiry.

7 A. Okay.

8 Q. And not surprising, you
9 have a section on technical courses, workshops,
10 webinars and training, so you've clearly spent
11 significant time teaching. Does that include
12 teaching with respect to pavement friction and
13 pavement preservation, that type of stuff?

14 A. Absolutely. So all of
15 the evaluation courses, all of the design courses
16 I have a module in pavement surface friction
17 testing and evaluation of data.

18 MR. CHEN: Mr. Commissioner,
19 that is all I intended to ask with respect to his
20 CV, and I'll now just go through the questions
21 that Mr. Hein was asked to comment but of course
22 focusing on the main points of his report.

23 Can we go to image 4,
24 Mr. Registrar.

25 BY MR. CHEN:

1 Q. So first question you
2 looked at was commenting on the applicability of
3 the MTO's FN30 at 90 kilometres per hour with a
4 ribbed tire to the threshold to the RHVP. And so
5 you've set out Dr. Flintsch, and we know that
6 Dr. Flintsch doesn't offer an opinion with respect
7 to MTO's use of that, the FN30, although he notes
8 it as being some -- having some frictional value.
9 And so you have been asked to comment about that.
10 So can you elaborate on your opinion?

11 A. It's my opinion that the
12 MTO's FN30 is an investigatory level that numbers
13 above this are considered to be acceptable, but as
14 you get to approaching 30 it is an investigatory
15 level where you may want to start looking at other
16 elements like collisions, for example, to
17 determine if the pavement surface friction has any
18 contribution to potential accidents.

19 Q. In this section you've
20 listed a couple of reasons for your conclusion as
21 to why it's an acceptable friction value to use.
22 So paragraph 9 first you talk about your career
23 and what you've seen in terms of the use of FN30
24 as an investigatory level. Can you elaborate on
25 that?

1 A. Pretty much all the
2 testing that we've ever done in the province of
3 Ontario has used FN30 as being an investigatory
4 level. MTO is a little bit unique in that they
5 use that same number regardless of the speed that
6 is being used. So the majority, and you've heard
7 this before, is that the majority of users follow
8 the ASTM E274 standard where the testing is
9 conducted at 65 kilometres per hour, 40 miles per
10 hour. And MTO is one that does the testing at any
11 particular speed, whatever the posted speed is,
12 that's their process. It's safer for the testing
13 equipment, but it's also more conservative also
14 than what you would do at the 65 kilometres per
15 hour speed.

16 Q. You also make reference
17 to other highways, and one of the things you say
18 is that it's important to compare Ontario highways
19 with similar characteristics. What do you mean by
20 that?

21 A. Ultimately a lot people
22 used investigatory numbers around 30, and so in
23 order to be able to compare apples and apples you
24 have to recognize that MTO does it at the posted
25 speed in all cases. So adjustments would have to

1 be made either to MTO's numbers or other agency
2 numbers to ensure that we've got them comparing
3 properly to each other.

4 Q. And when you say other
5 agencies, if we can also bring up image 5.
6 There's table 1 which shows different levels of
7 friction for various transportation agencies in
8 the U.S. What's the purpose of this table?

9 A. This table is to give
10 examples of what other agencies use. I've just
11 changed it to be metric, so we used 65 kilometres
12 instead of 40 miles per hour. You can see that
13 different states do different things. Some of
14 them use the same numbers for different levels of
15 road, being interstates or primary or secondary
16 roads. You can see some of them are using the
17 same numbers for all of them, for example,
18 Washington state, and that all of the numbers are
19 kind of around the 30 range, so between the
20 mid 20s and low 30s.

21 Q. And if the RHVP and --
22 was -- or what kind of road, if we were to look at
23 the different columns here, would the RHVP fall
24 into?

25 A. In Canada particularly we

1 do not use the same designations for road classes.
2 It's different in Quebec or Ontario and others. I
3 did some research on that in the past. In this
4 particular case I would consider the RHVP to be
5 similar to 400 series highway that we have in
6 Ontario which would be considered an interstate
7 highway in the U.S.

8 Q. So if you were to compare
9 the FN30 we would be looking at the figures or the
10 numbers in the interstate column?

11 A. That's correct.

12 Q. You also do a speed
13 adjustment, you know, you just talked about the 65
14 kilometres per hour as being the usual standard.
15 But of course the MTO does it at the posted speed
16 and so you undertake a conversion?

17 A. That's correct. A
18 conversion is necessary to bring the values that
19 we have in say FN90 in Ontario to be down to
20 equivalent to 65. That's 25 points difference
21 between the two.

22 And MTO has history of using
23 approximately two FN points for each 10 kilometre
24 difference in speed. By applying that to the 25K
25 difference in speed between those numbers you

1 would end up with a 5 FN point difference. So to
2 convert the values you see in that table using
3 that 5 point conversion you would end up with FN
4 values ranging somewhere between 23 and 36 out of
5 that table.

6 Q. And I think you identify
7 them in paragraph 12 of your report, ranges from
8 FN23 to 36 and that the MTO's FN30 criteria is
9 roughly in the middle of that interstate range?

10 A. Correct.

11 Q. So now moving ahead to
12 question 2, which starts at image 6. Here you are
13 addressing Dr. Flintsch's characterization of the
14 friction testing results on the Red Hill. And in
15 his report, he's used the words relatively low and
16 I understand in your report you disagree with
17 that. Why do you disagree?

18 A. I disagree because the
19 values in Ontario are 30 or above would be
20 considered to be completely acceptable. So I
21 wouldn't consider them to be relatively low
22 because they would be acceptable and action is not
23 needed to be taken at this time related to
24 friction.

25 Q. As part of your review I

1 take it you looked at the available friction
2 results on the Red Hill from 2007 to 2019?

3 A. That is correct.

4 Q. And that of course
5 included the Tradewind friction results?

6 A. That is correct.

7 Q. Just for context, do you
8 know why Tradewind was asked to undertake friction
9 measurements?

10 A. Not -- I wouldn't -- no,
11 not really. I understand from reading testimony
12 of others that Golder asked MTO initially to -- if
13 they could do the testing. They indicated that
14 they were too busy. They weren't -- they try to
15 help out when they can, but they were too busy at
16 the time, and they suggested that Golder contact
17 me because MTO knows that we do the 407 ETR and
18 other testing using the locked wheel trailer. And
19 I didn't hear from -- I never was asked by Golder
20 or anyone else to provide the locked wheel
21 trailer. So maybe because we are competitors
22 potentially, but I know Ludomir quite well so I'm
23 surprised he wouldn't have asked me.

24 Q. So in any event, if you
25 had conducted the testing what testing equipment

1 would you have used?

2 A. I would not have used the
3 grip tester for sure. I would have used the
4 locked wheel tester. It's only device that we
5 have only device that we have reference for, all
6 the MTO testing that's been done in the past.

7 Q. So when you say reference
8 for, what do you mean?

9 A. Reference in terms of a
10 absolute value at which point in time an
11 investigation may be warranted. So they have the
12 history in Ontario. They were the only agency
13 doing that type of testing for the longest time.

14 Q. So moving on to the
15 friction results obtained by Tradewind, I would
16 like to -- Mr. Registrar, to pull up GOL1113,
17 images 17 and 18. GOL1113, images 17 and 18.

18 Obviously very small figures
19 on your screen now. These are the Red Hill grip
20 tester friction numbers. Do you see these
21 figures?

22 A. Yes, I do.

23 Q. So if you had these
24 results in late 2013 what steps, if any, would you
25 take as a consultant?

1 A. Well, first of all I said
2 I wouldn't have used the grip tester, but the data
3 is of some value still. Looking at at least
4 relatively comparing numbers, if you look at
5 number 3 column there where you've got some 50s,
6 low 50s, and then it moves down to the kind of 30s
7 area, I would be looking at relative comparison
8 between areas that may be higher or lower, so to
9 speak. I wouldn't have the ability to use these
10 numbers to make a decision with respect to an
11 investigatory level but I can look for trends.

12 Q. So you're not looking at
13 what any particular number would mean with
14 reference to a scale, you're just looking to see
15 if you have variation between 20 and 40 and 30 and
16 that type of --

17 A. That's correct, that's
18 correct. I would probably -- I might take this
19 data and go look in the field and see what might
20 be -- might be a reason for the numbers getting
21 higher or lower, might have been selective
22 resurfacing of the asphalt with the newer material
23 or it might be older patch. There might be dips
24 or bumps in different places that may affect the
25 equipment as well. You know, I could still use it

1 to go at least look for relative differences and
2 potential causes.

3 Q. And so when you say
4 relative differences, are you -- is what you're
5 saying that you're looking for significant
6 deviations or --

7 A. I'm looking for, yeah,
8 oddities, you know, some localized areas that may
9 be lower than others. This one I would suspect
10 that maybe the 10,000 at the beginning stations in
11 the 50s there was a different asphalt material,
12 and without going into the field and looking at it
13 and seeing -- trying to identify where they
14 started exactly and if it matches up with
15 different asphalt I kind of have an answer for why
16 it's different.

17 Q. Right. You'll recall
18 that when Tradewind did the testing it was -- went
19 from the LINC to the --

20 A. Yes.

21 Q. -- the Red Hill?

22 A. Yes.

23 Q. And so you may have
24 answered this question, but just looking at this
25 data, do you see significant deviations in your

1 review?

2 A. I see at the beginning
3 higher numbers.

4 Q. There are some --

5 A. There are some that go
6 down to the 20s there near the end of them. I
7 would be looking for those trends. I see it going
8 up near the end as well.

9 Q. So just to -- asking the
10 question again, do you see significant deviations
11 or not?

12 A. Oh yeah, like I said, I
13 see those at the beginning and also near the end.
14 I see different highs and lows. Again there's a
15 couple of spots I think I see there where they are
16 in their 20s.

17 Q. And --

18 A. I wouldn't call it a
19 significant deviation; just it's a trend.

20 Q. And is that a trend that
21 concerns you?

22 A. Not without going out and
23 looking at the road. I mean, I would be looking
24 to see if it's an anomaly with testing or if it's
25 different textured surface pavement or they did a

1 patch that I mentioned. When I see -- individual
2 values really don't mean much here. I mean, we've
3 got a lot of testing data, every 100 metres, so
4 individual data -- individual sections don't
5 really concern me.

6 Q. You had talked about I
7 think the variations in this data. Can the
8 operation of the grip tester itself play a role in
9 that?

10 A. We found -- yes,
11 actually. We found in our work with ETR that the
12 rougher the road surface was the more variation we
13 got in grip tester numbers and grip numbers. And
14 we attributed that to the weight of the vehicle,
15 because the grip tester is a small device. I can
16 pick it up and put it the back of a truck. The
17 locked wheel brake force trailer you can't do that
18 because the trailer has water aboard the system as
19 well. And so the device moving around and
20 bouncing around would cause variations in the grip
21 numbers that we saw. I mean, that could be the
22 situation as well. I would be looking for again a
23 bump or a dip or something that was in the road, a
24 settlement that might be contributing to the funny
25 numbers that I see.

1 Q. So you reviewed the 2013
2 CIMA report?

3 A. Correct.

4 Q. And that was a safety
5 review of a segment on the Red Hill from I think
6 Dartnall to Greenhill, and CIMA finds that there
7 is a high proportion of wet weather collisions
8 between Mud and some portions south of Greenhill.
9 Now, taking that with the data that you see here,
10 the grip tester results, does that information,
11 you know, the wet weather collisions, change your
12 view of the Tradewind results?

13 A. I tried to look at the
14 starting location of where these -- the grip
15 numbers are based on the report, and the couple of
16 areas where I see smaller numbers in the 20s were
17 outside of the limits of that CIMA report, so I
18 wouldn't directly link wet weather accidents to
19 friction based on this data anyway.

20 Q. And so one of the things
21 that Dr. Flintsch has kind of brought up in his
22 evidence is the possibility of doing further
23 friction testing. In what circumstance would you
24 consider doing that?

25 A. If I was going to make a

1 definitive decision based on FN30 I wouldn't be
2 using these grip numbers because, as mentioned,
3 the correlation between the devices is not very
4 useful. And so to decide to bring in friction
5 testing equipment from the U.S. can also be
6 expensive. Nothing really here is bothering me
7 too much so I might -- you certainly could do this
8 but I wouldn't say it's necessarily warranted.

9 Q. And then just moving
10 forward, you know that the MTO conducted friction
11 testing the following year in 2014. And so if we
12 could pull -- bring up Dr. Flintsch's report which
13 is EXP191, image 7. This is in your report as
14 well but that's fine.

15 Mr. Hein, you looked at the
16 2014 MTO results?

17 A. Hm-hmm. Correct.

18 Q. And what was your view of
19 those numbers?

20 A. Those numbers are
21 above 30, and I see that there's been a trend
22 going from -- this is a classic SMA trend where
23 the 2000, the early numbers are a little bit low,
24 we wear off some of the asphalt based on the
25 surface in the first year under traffic and the

1 numbers go up. And they start to come down with
2 traffic and it's levelling out just above the
3 30 mark there, so it's acceptable based on the
4 2014 number certainly.

5 Q. And since you talked
6 about the trend and the levelling out I'll just
7 ask you now. Dr. Flintsch has kind of described
8 it as a significant decline or drop, I can't
9 recall the exact wording. Do you agree with that?

10 A. It's typical, this is
11 very typical of what we see in Ontario using those
12 types of aggregates. It's the all drop, they will
13 all drop depending on the surface was there, the
14 amount of traffic, the weight of the traffic, so
15 how many heavy trucks are on the roadway. It
16 won't go up unless there's some action has been
17 taken. It doesn't miraculously get better. This
18 follows a pattern I would have expected of just
19 about any asphalt in the province of Ontario.

20 Q. Does this pattern say
21 anything to you about safety?

22 A. No, it's -- the friction
23 on the roadway, you could have low friction and
24 still have a perfectly functional roadway and a
25 safe roadway. So this pattern is typical. The

1 available friction is coming down slightly but it
2 doesn't mean that necessarily the road is unsafe.

3 Q. And I just want to
4 clarify a statement you just made. You said you
5 can have low friction and the roads still be safe.
6 Are you suggesting that what you're seeing here is
7 low friction or are you just making the comment
8 that --

9 A. No, I'm just making a
10 comment on the pattern. It's above 30. It's
11 above the investigation limit for MTO.

12 Q. So that's 2014. And
13 moving forward we know about the 2015 CIMA report
14 which you reviewed?

15 A. Correct.

16 Q. I'm not going to ask you
17 about the specifics, but you are aware that CIMA
18 found that wet surface collisions made up about
19 50 percent of the collisions on the Red Hill
20 Valley Parkway?

21 A. Yes.

22 Q. And in your view is
23 50 percent high for wet weather collisions on a
24 roadway?

25 A. I would expect them to be

1 somewhere between 20 and 40 percent normally. You
2 do have more wet weather results; that's why we
3 test when the road is wet. 50 is -- I would
4 consider that to be higher than I would expect,
5 but again -- yeah, it's a little bit on the higher
6 side I would say, yes.

7 Q. Let me just clarify one
8 thing. You said that's why we test when the road
9 is wet. You're not suggesting that you test when
10 the road is wet. You're talking about the
11 statistics, right?

12 A. We -- the brake force
13 trailer testing, we put water on the road
14 intentionally and test it while it's wet. That's
15 what it is. Not during rain storms.

16 Q. Thank you. So CIMA in
17 2015 concludes that a combination of I think high
18 speed and wet surface may be the primary
19 contributory factors to collisions on the Red Hill
20 Valley Parkway. Do you recall reading that?

21 A. Yes, I do.

22 Q. And on this topic
23 Dr. Flintsch testified that we can look at
24 friction as a supply and demand, if you can supply
25 more friction you can lower the demand. Do you

1 agree with that?

2 A. Yes, I do.

3 Q. And does that apply,
4 those concepts apply here?

5 A. Yes, yes, certainly. We
6 can reduce the demand by reducing the speed.

7 Q. So ultimately what CIMA
8 recommends is targeted police enforcement of areas
9 with known high collision frequency and I think
10 oversize speed limit signs. So you may have just
11 answered the question, but in your view would that
12 reduce friction demand and maybe --

13 A. Of course it would. If
14 we're reducing the speed, it's not physically
15 doing something to change the pavement surface but
16 it's reducing the need to have higher friction
17 under those because the speed limits are going
18 down.

19 Q. In your experience how
20 long would it take to validate if there is a
21 reduction in friction demand?

22 A. You've heard from others
23 as well talking about the variability of
24 collisions and traffic information and how it goes
25 up and down over the years. I would expect you

1 would need at least a couple years, three years,
2 to look to see if there's a trend and that your
3 collisions are going to down because you've
4 reduced friction demand.

5 Q. So Dr. Flintsch has said
6 you can try a number of things. You can also
7 increase friction supply and that he would do a
8 number of things. What do you say to that?

9 A. There are many things
10 that you can do to reduce the demand. Again the
11 signage, the reducing the speed limit, et cetera,
12 usually those activities are much less expensive
13 than providing more friction. Providing more
14 friction you're going to either remove and replace
15 a surface, put other types of surfaces on top of
16 them, and they can be significantly more expensive
17 and not necessary if you can develop it in another
18 manner like signage or speed enforcement.

19 Q. Would in your view
20 increasing friction necessarily decrease or reduce
21 collisions?

22 A. No, it wouldn't
23 necessarily reduce them no, correct.

24 Q. Why is that?

25 A. There may be no influence

1 of the collisions interacting with the friction.
2 That's why you're doing a collision analysis to
3 help identify locations where potentially it was
4 friction that contributed to the accident, and in
5 my experience the majority of accidents are not --
6 don't have anything to do with friction.

7 Q. Moving forward in the
8 timeline in your report. Bring that back up.
9 It's HAM64775 and we can go to image 8 on the one
10 hand, and if we can also bring up the document
11 HAM64785 which contains the updated southbound
12 lane 2. So you set out the ARA 2019 friction
13 values for the locked wheel in various figures,
14 and I've left out one of the figures but I think
15 this will suffice for the purposes of the
16 questions.

17 Why do you feature the 2019
18 results in your report?

19 A. These are because these
20 are the most recent and most comprehensive sets of
21 data of friction for RHVP.

22 Q. And so when you're
23 looking at these figures what are you looking for?

24 A. I'm looking for -- so
25 they have been divided into 500-metre sections,

1 I'm looking for outliers of those 500-metre
2 sections and for values that are less than 30.

3 Q. So just focusing on
4 the -- well, first of all outliers, do you see any
5 outliers?

6 A. In the northbound lane 2
7 I see one location that has a slightly lower than
8 30, and --

9 Q. So northbound lane 2
10 that's figure 3, correct?

11 A. Yes, correct, figure 3.

12 Q. And you're pointing out
13 the 29.2 at the 2 kilometre mark?

14 A. That is correct.

15 Q. And what is your view on
16 that?

17 A. It's slightly lower than
18 the one surrounding it. I would consider it to be
19 an outlier because the rest of them are all
20 showing you 30s and above. Also it's within the
21 testing limits of the equipment because the brake
22 force trailer as you know takes a reading as the
23 operator randomly pushes a button as he's pulling
24 across the pavement surface. So if I went out and
25 I did the same testing in the same location I

1 might get a value that's higher, I might get some
2 values that are lower elsewhere, but it's not
3 substantially different than the surrounding value
4 so this wouldn't be of significant concern to me.

5 Q. When you say it's not
6 significant concern, we talked about the FN30.
7 Are you suggesting that it's not an absolute limit
8 that you have to go out?

9 A. Absolutely. If it was 20
10 then maybe I'm going to be concerned. Because
11 it's 29.2, and if you look at the ones below in
12 figure 4, they are all above 30 and quite a bit
13 above 30. And from the trends from previous
14 testing you can see that they were levelling out,
15 and so there's nothing here -- I'm not expecting
16 that there is a significant friction problem
17 because the numbers are again above the
18 investigatory limits set by MTO.

19 Q. Just so I'm clear about
20 your evidence, you just said you're not -- it's
21 not showing a significant friction problem. Are
22 you seeing a friction problem?

23 A. I am not seeing a
24 friction problem at all at present.

25 Q. Just looking at figure 5,

1 which is on the right side where you have an
2 updated chart, so we're looking at the updated
3 figure 5 in HAM64785. There of course we see now
4 a number of values that are just below 30. Can
5 you comment on those?

6 A. I have a similar comment.
7 Again these are very close to the 30 value. I
8 said 30 is not cast in stone, 29.9 means it's bad.
9 So they are -- the very close to each other. In
10 this particular figure because they are within
11 about 500 metres or 4 kilometres of each other I
12 potentially might go and have a look again at the
13 road surface to see if there's anything that's
14 obvious that may be contributing to those slightly
15 lower numbers.

16 Q. And when you say go out
17 to look at them can you remind me what the
18 factors -- what are you looking for?

19 A. Visual inspection, to go
20 and look and ensure that there's been nothing done
21 to the road --

22 Q. What do you mean by that?

23 A. In terms of a patch
24 repair, let's say, or there might have been some
25 issue with materials during construction that

1 would warrant replacement of the material. And
2 because this is SMA, stone mastic asphalt, it's
3 not something that everybody makes every day, and
4 so if you're fixing something you might use
5 different aggregate material. Maintenance and
6 operations folks may not know the difference
7 between them, and so I'm looking for some reason
8 why this might be lower. I'm also looking for
9 wear of the surface, I'm looking for cracking,
10 other surface defects, potential for things like
11 ravelling. Ravelling is where the individual
12 aggregate particles may not be well glued together
13 and you may lose a few of them here and there. So
14 just looking for things that are not like the
15 others around it.

16 Q. Dr. Flintsch has
17 commented -- you know, in your report I think you
18 described that below 20 values as minor
19 inconsequential, but Dr. Flintsch says that a
20 lower value may actually suggest that you have a
21 localized friction problem, and of course, in
22 figure 5 we see the two consecutive 29 values
23 although they are very close to 30. What do you
24 say to that?

25 A. Again we're simply

1 looking for trends in the data, and the previous
2 testing showed that the numbers were all levelling
3 off, so there may be some that are a little bit
4 higher or lower than the others but overall I
5 don't necessarily have this telling or pointing to
6 me that this is a localized problem.

7 Q. And these are of course
8 average values, right?

9 A. These are -- correct,
10 these are average values, that's correct.

11 Q. So if we go to
12 Dr. Flintsch's report, which is at EXP191,
13 image 8. This is figure 3 Dr. Flintsch's report,
14 ARA friction measurements from May of 2019, so
15 that's before resurfacing in the southbound
16 direction. You looked at this at this figure,
17 Mr. Hein?

18 A. Yes, I have.

19 Q. And so the bar graph that
20 we were looking at in your report were average
21 values. What does this show?

22 A. This is showing you
23 individually the friction numbers in each of the
24 two wheel paths in each lane.

25 Q. Okay. And you can see

1 the red line there is dirty, and around the King
2 Street/Queenston interchange area there's a number
3 of -- that are below the red line. Does that
4 cause you any concern?

5 A. Not particularly, because
6 obviously you have two wheel paths, and vehicle
7 have wheels on both sides and so if you hit the
8 brakes you're going to be engaging both wheel
9 paths. Typically you will have little bit more
10 wear in the outside wheel path. That's the -- in
11 the truck lane, the heavier truck lane, because
12 trucks are -- the road has a crossfall to it so it
13 means it's higher in the middle than it is on the
14 edge slightly which means there's more weight on
15 the outside of the vehicle which may be causing
16 some more wear in that particular location, but
17 again when you hit the brakes to stop you engage
18 both wheels on the vehicle so that's why the
19 average are provided.

20 Q. Sorry -- when you say
21 both wheels are provided, can you maybe talk about
22 that in reference to the friction results. Like
23 what line should we be looking at?

24 A. So southbound lane 1
25 right wheel path southbound lane 1 left wheel

1 path. So we've got blue -- it's kind of hard to
2 tell the difference between them, but the left
3 wheel path is going to be slightly -- is going to
4 be higher than the right wheel path and when you
5 average the two they are going to be above 30
6 pretty much here.

7 Q. I see. Okay.

8 A. And normally we do -- we
9 don't always do all wheel paths. We have the
10 ability to do that. It costs a little bit more
11 money to do. But when you have all the data it
12 tells you full picture here.

13 Q. All right. Of course
14 just to add to the story, we know that there are a
15 number of curves, King Street area and Queenston.
16 Does that change your view at all on how you view
17 these friction results?

18 A. Not in terms of the
19 friction results, no.

20 Q. What about in terms of
21 safety?

22 A. Others have testified
23 with respect to the other features. You have to
24 look at everything wholistically to see if there's
25 any contribution of a particular factor in terms

1 of collisions or frequency of collisions.

2 JUSTICE WILTON-SIEGEL: If I
3 can summarize what you're saying, friction could
4 be a potential contributing factor -- can't be a
5 cause unless it were much lower, but could be a
6 potential contributory factor in those areas but
7 you can't tell just on the basis of this raw data.
8 You would have to do a collision analysis to
9 determine what the contributing factors to the
10 accidents in particular localized areas would be.

11 THE WITNESS: Yes, I would
12 agree. And typically we don't get that level of
13 granularity from the basic collision reports.

14 JUSTICE WILTON-SIEGEL: Right.
15 That may be a different issue.

16 THE WITNESS: That's correct.

17 BY MR. CHEN:

18 Q. While we're talking about
19 that, just going back to your discussion about the
20 supply and demand of friction. And I asked you
21 whether increasing friction would necessarily
22 decrease collisions and I think you stated that it
23 may not because there may be no influence on the
24 of the collisions interacting with friction, and
25 you note that in your experience the majority of

1 accidents that you've looked at don't have
2 anything to do with friction.

3 So Dr. Flintsch gave evidence
4 that though deficient friction is seldom the main
5 cause of a crash, there are some situations where
6 low friction can cause crashes in the presence of
7 other contributing factors. Do you agree with
8 that?

9 A. Yes, I agree, correct.

10 Q. So putting that together,
11 there is agreement that you and Dr. Flintsch think
12 that friction is seldom the cause of the crash
13 which I think you agreed with, right?

14 A. Correct.

15 Q. And that increasing
16 friction in those circumstances wouldn't
17 necessarily decrease collisions?

18 A. That's correct.

19 Q. However, as Dr. Flintsch
20 states, there are some situations where low
21 friction can cause crashes in the presence of
22 other contributing circumstances. So in those
23 situations, dealing with or combatting the
24 contributing factors with countermeasures could
25 reduce the demand for friction and --

1 A. Just repeat the last part
2 of the sentence. I didn't quite hear it.

3 Q. So combatting the
4 contributing factors to countermeasures could also
5 reduce the demand for friction and reduce
6 collisions?

7 A. That's correct.

8 Q. When we were talking
9 about it before I think we just talked about
10 speeding reducing the demand for friction, but not
11 then going a step further and talking about the
12 effect of -- on collisions?

13 A. Yes, and the
14 countermeasures like reducing speed, et cetera,
15 are going to be substantially -- have a
16 substantially higher impact on it than
17 incrementally increasing the friction on the
18 pavement surface. So the impact of reducing speed
19 will far outweigh the incremental increasing of
20 friction of the pavement by 5 points or 10 points
21 or something like that, for example.

22 Q. And so when you're
23 speaking about increasing the friction by only
24 5 or 10 points, what are you referring to?

25 A. This could be doing some

1 suffering on the pavement. If you're going to do
2 something -- there's no evidence here that shows
3 there is a whole problem along the entire length
4 of the highway, and so going and doing a mill and
5 overlay, for example, of the whole highway because
6 of friction does not -- doesn't make financial
7 sense. You're going to get better bang for your
8 buck by reducing speed or other factors, driver
9 awareness, for example.

10 Q. So in responding to
11 Mr. Commissioner's question I think -- maybe it
12 was an earlier question of mine, you talked about
13 what you think is a local friction value, and what
14 was that value?

15 A. Low to me being the 20s,
16 low 20s.

17 Q. And that's -- in your
18 work in your career have you seen friction values
19 like that?

20 A. Oh yeah, absolutely.
21 I've seen them in other locations, yes.

22 Q. And did that result in
23 any kind of investigations that resulted in
24 friction being the main issue of -- you know, a
25 safety review and friction being found to be the

1 main cause?

2 A. And example would be an
3 evaluation I was involved in highway 115 for
4 example. There were three sections of concrete,
5 three different sections of asphalt, and friction
6 numbers were low and so there was concern that
7 they would be potentially contributing to
8 accidents. And reviewing the accident reports and
9 all the other factors that were involved, friction
10 had nothing to do with the accidents -- virtually
11 nothing to do with any of the accidents. It was
12 driver fatigue, single vehicles driving off the
13 roadway after long shift at General Motors. And
14 so very seldom in my experience has friction
15 become the primary cause of accidents.

16 Q. If we can go back to your
17 report at image 9. While this is coming up, you
18 say the friction in your experience is very seldom
19 the primary cause.

20 In paragraph 23 there you talk
21 about the complexities of accidents and the number
22 of factors that can play a role. Do you see that?

23 A. Yes, I do.

24 Q. If you can just elaborate
25 on that?

1 A. The report here, I know
2 that traffic accidents are very complicated
3 events. They have a lot of human factors
4 associated with them. The condition of the road
5 surface, the weight of the vehicle, the braking
6 system, the air on the tires, the wear on the
7 tires, the type of tires that are there,
8 ultimately visibility and geometry and all these
9 other things can potentially lead to a collision.

10 Q. I just want to quickly
11 touch on the next topic which is a image 10 of
12 your report, driver expectation. Just to
13 paraphrase, my understanding is that Dr. Flintsch
14 in his opinion is that the variation in the
15 friction levels from the LINC and the QEW coming
16 into the Red Hill, he of course describes the Red
17 Hill friction values as relatively low and that
18 difference is even more problematic because it
19 creates -- there's a driver expectancy there
20 that's violated with respect to friction. What's
21 your view on that?

22 A. Well, I disagree with
23 Dr. Flintsch in that situation. As I've just
24 discussed, I don't agree that the friction values
25 are relatively low. If you look at the

1 differences in friction levels, drivers have no
2 expectation, they have no understanding what the
3 differences are. And they encounter this on a
4 frequent level, they encounter this all over the
5 place because pavements of different ages, of
6 different classifications of roadway, some that
7 have different aggregate surfaces in them, they
8 encounter different friction availability
9 constantly on the roads where they are travelling
10 anywhere they are travelling.

11 So it's not like you see snow
12 or ice on the roadway and you know there's going
13 to be a -- potentially you're going to skid. It's
14 inherent with all of the surfaces that we drive
15 on, be it chip sealed roadways in the countryside
16 or concrete pavements on ETR or QEW, it happens
17 all the time. And I don't think there would be
18 any driver expectation that there would be
19 something miraculously different as they moved
20 from the LINC to the Red Hill Valley Parkway.

21 MR. CHEN: Mr. Commissioner, I
22 was going to move to the next question but it's
23 also 10:50. Would you like me to use up the ten
24 minutes or shall we take --

25 JUSTICE WILTON-SIEGEL: How

1 long are you going to be on the next question?

2 MR. CHEN: It's probably going
3 to be more than ten minutes; maybe 20 or so.

4 JUSTICE WILTON-SIEGEL: All
5 right, then let's take a 15-minute break. We'll
6 return at 5 past 11:00.

7 --- Recess taken at 10:50 a.m.

8 --- Upon resuming at 11:06 a.m.

9 MR. CHEN: May I proceed,
10 Mr. Commissioner?

11 JUSTICE WILTON-SIEGEL: Yes,
12 please do.

13 BY MR. CHEN:

14 Q. Mr. Registrar, could we
15 please bring up images 12 and 13. While that is
16 happening, the next question that you would -- I
17 want to make sure right section -- that you
18 address is necessity of remedial measures and
19 timing specifically with respect to whether the
20 Red Hill should be microsurfaced in 2014 and the
21 question of shot blasting in 2019.

22 So in this inquiry we've heard
23 evidence that recommendations were made to the
24 City in 2014 to microsurface a large portion of
25 the Red Hill Valley Parkway and we've also heard

1 evidence of a recommendation of shot blasting in
2 2018 or 2019. So I want to just first address the
3 microsurfacing question. At paragraphs 30 and 31
4 of your report you talk about your understanding
5 of how the microsurfacing suggestion arose in
6 2014. Could you describe that.

7 A. So my understanding of
8 the request from Golder is just that they were
9 retained to complete the pavement evaluation on
10 the highway. The evaluation is something that is
11 very commonly completed, and so this would entail
12 surface condition survey, looking at the types of
13 cracks, how severe they were, how much of extent
14 they were, how many there were basically on the
15 roadway. It also included some coring of the
16 asphalt material, so removal of core samples, and
17 testing surface friction.

18 In terms of the friction
19 testing, Golder retained Tradewind to do the
20 friction testing using the grip tester. As I
21 mentioned previously, I think this is somewhat
22 unusual in that Tradewind's primary business area
23 was airports, and while we don't use the brake
24 force trailer on airports, they certainly use the
25 grip tester. So there's very little experience in

1 using the grip tester on roads in Canada
2 certainly.

3 The results from that were put
4 in an appendix with the report. Golder
5 recommended resurfacing, milling, so removing the
6 existing asphalt surface and replacing it for
7 about 2 and a half kilometres of the roadway,
8 intending to address the areas that have more
9 significant surface cracking. And so again only a
10 partial -- removal that one layer and replacing it
11 with a new layer. And then that the remainder of
12 the pavement could be done using microsurfacing
13 which would have -- a comment was that it was --
14 would deal with the relatively low friction values
15 if I recall the wording directly.

16 Q. And so just on the
17 microsurfacing, in your view was microsurfacing
18 necessary in 2014 to address friction?

19 A. I do not believe it would
20 have been necessary to address friction because
21 again friction values were reasonably good. It
22 seemed to be more of a -- you know, you can do
23 microsurfacing to address the cracking, and that
24 its secondary importance was it would address what
25 they called relatively low friction values.

1 Q. Right. And Dr. Flintsch,
2 when he described microsurfacing he said if done
3 properly. What do you think about --

4 A. I am not really sure the
5 context of what -- why he said done properly. If
6 anything done properly, obviously if you don't do
7 it it won't work. Microsurfacing can be a
8 difficult material to use in some cases because
9 you need proper compatibility between the
10 emulsion, the glue that's holding it together, and
11 the aggregate matters that you have. And so there
12 have been some issues in the past with it not
13 working well. The Region of Durham has done a lot
14 of microsurfacing over the years and found that it
15 was necessary to clean and coat the existing
16 surface with emulsion to help glue it down. But
17 we certainly have been doing it for a long time so
18 I think obviously it needs to be done properly,
19 needs to have the right aggregate selection and
20 things like that.

21 Q. Right. I think the
22 spirit of the comment was that there could be
23 challenges and -- which is what your -- you may be
24 describing; is that fair?

25 A. Yeah, and also it

1 requires time to cure, so to speak. So it's using
2 what's called an emulsion which is a mixture of
3 asphalt, cement and water. When the water
4 evaporates the asphalt cement comes back to hold
5 the glue together, so that takes some time.
6 Example, when I first did that on Morningside
7 Drive in Toronto, we had cones set down the side
8 where the device was applying the microsurfacing
9 and a gentleman decided he wanted to get around
10 something and drove his car through it. That
11 makes a mess. And so we can back up and fix that
12 but doesn't look so good on his car.

13 So there could be some
14 disruption certainly to traffic and whatnot while
15 the material cures. It doesn't take long but you
16 have to let the water evaporate.

17 Q. In terms of
18 microsurfacing, I'm just trying to recall your
19 evidence previously, but did you make a
20 distinction between the effectiveness on asphalt
21 versus concrete?

22 A. In terms of -- I have
23 done microsurfacing on concrete and it's worked.
24 So 407 ETR is a very advanced group in trying
25 things out. They don't need -- buy from the low

1 bidder. So they are very interested in seeing how
2 we can maintain the white pavements white and
3 black ones black, i.e., the concrete and asphalt.
4 So we can make it work either way. It doesn't
5 make much difference.

6 Q. At paragraph 33 of your
7 report you refer to a cost benefit analysis in
8 deciding on a particular remedy. Could you
9 articulate that?

10 A. Certainly. In my career,
11 particularly in Canada, I found that we don't
12 typically by the cheapest up front; we look at the
13 lifecycle cost. And so one of those documents
14 that I wrote for the National Guide For
15 Sustainable Municipal Infrastructure was timely
16 preventive maintenance and we do lifecycle cost
17 comparisons. So we look at what does it cost us
18 to buy the product, how long is it going to last,
19 what do we have to do in the future to maintain
20 that roadway for a period of 50 years typically
21 for a municipality. And so we're looking for cost
22 value. If we don't get a return on the investment
23 by having an extension of service life making it
24 last longer then it's not worth to select that
25 alternative. You have other choices of things you

1 can do to restore the pavement to a good
2 condition.

3 Q. Okay. And would your
4 view of the friction value at the time play into
5 that cost benefit analysis?

6 A. Yeah, it might. If
7 that's the reason why I was doing it. It
8 certainly seemed the reason it was being done was
9 primarily for addressing surface cracking. And in
10 my experience with ETR was that it didn't give us
11 value. We tried a test section and we got
12 cracking right through within six months. And so
13 it didn't -- I didn't need to improve friction so
14 there was no value to me to do that.

15 Q. So you have the
16 experience with microsurfacing and you've talked
17 about the expense. What's your view on the
18 expense if it was applied on the Red Hill Valley
19 Parkway?

20 A. If we removed the
21 sections, the kilometres that they had suggested
22 mill and overlay it probably would have been very
23 close to a million dollars I would say.

24 Q. So balancing that with
25 the effectiveness, so you have the cost and you

1 have the friction levels, where do you come out on
2 that analysis?

3 A. Again you didn't need to
4 do it for friction, first of all. So the value to
5 the City would have been a lot of money spent for
6 little value related to friction. And if you look
7 at the 500-metre sections in my graphics, the
8 majority of it doesn't require anything so placing
9 it for friction wouldn't have given you any value.

10 JUSTICE WILTON-SIEGEL: But if
11 I can just make sure we focus this in the right
12 area. I accept all of that, but that wasn't
13 exercise -- as you have pointed out, that was
14 before the City. The City -- the question was
15 would you bear this expense to address the
16 cracking.

17 And incidentally, if you did
18 that then it would have a positive effect of some
19 significance, who knows what, on friction. But it
20 wasn't, as the last question suggested, a question
21 of is there value in -- from a friction
22 perspective that's worth a million dollars. It
23 was really -- was it not is there value of a
24 million dollars in terms of addressing the surface
25 cracking.

1 And I think I understand your
2 answer in that context to be probably not because
3 your experience is it wouldn't have a long enough
4 life.

5 THE WITNESS: That's correct,
6 Commissioner.

7 JUSTICE WILTON-SIEGEL: Okay.

8 MR. CHEN: Just for clarity,
9 what if it was the friction question.

10 JUSTICE WILTON-SIEGEL: Why
11 would that be a relevant question?

12 MR. CHEN: Whether the
13 friction value would play into the -- whether the
14 City decides to microsurface or not.

15 JUSTICE WILTON-SIEGEL: Yeah.
16 Maybe you have a different interpretation of what
17 was being recommended than the witness.

18 BY MR. CHEN:

19 Q. I'm just trying to think
20 that through. The witness has talked about the
21 cost benefits of whether the City would -- should,
22 would microsurface or not, and I had understood
23 that microsurfacing being used as a way to treat
24 friction. Stop there. And so whether the
25 friction value then would play into that

1 consideration. Does that make any sense,
2 Mr. Hein?

3 A. You would have to
4 rephrase that, I think.

5 Q. Would the friction value
6 play a role in the decision to microsurface?

7 A. If it was necessary to
8 improve friction it could play value certainly,
9 but it wasn't necessary to do that.

10 Q. Then at paragraph 34,
11 Mr. Hein, of your report you talk about CIMA and
12 their review in 2013. Why do you raise that in
13 this context, the countermeasures?

14 A. The countermeasures that
15 were recommended by CIMA included speed reduction
16 among other things, signage, et cetera, so the
17 cost to implement them would have been
18 substantially -- while they don't change the
19 friction of the road, they would have been
20 substantial at reducing the friction demand and so
21 you're achieving a value of potentially reducing
22 collisions while not investing in improving the
23 friction of the pavement.

24 Q. So this I guess goes back
25 to the consideration of whether you're increasing

1 the supply of friction or whether you will reduce
2 the demand of friction?

3 A. The comment (ph) is that
4 almost all cases reducing the demand is much less
5 expensive than providing more friction.

6 Q. So I hope I didn't ask
7 you this before, but how would you determine which
8 way to go, the more supply or the less demand?

9 A. We would look at the cost
10 benefit associated with it. And I think CIMA took
11 care of that, and identifying the elements that
12 would have the highest benefit which is ones that
13 you typically implement.

14 Q. Just moving now to shot
15 blasting, which is over at page 11, image 13,
16 paragraphs 35 and 36. And I think there's
17 alignment here with Dr. Flintsch. There's --
18 Golder suggests shot blasting for certain areas of
19 concern. So in your view, first of all, was that
20 necessary. And this question, just so we have the
21 timing right, is from March 2018.

22 A. Shot blasting is a very
23 short-term improvement, and considering we're
24 going to be resurfacing the highway in 2019, the
25 value of doing that, particularly with something

1 like the skidabrader, would be something very
2 expensive to have and then you are going to not
3 need it a year later when you resurface the
4 roadway.

5 Q. And in terms of the
6 longevity, you indicate shot blasting, a short
7 term solution and the results -- with results that
8 sometimes only last about six months to a year; is
9 that right?

10 A. Yes, that's correct.
11 That was our experience on using the skidabrader
12 on 407 ETR and from what I've seen from others who
13 also are using it to increase texture, is that
14 it's short lived in the months kind of thing as
15 opposed to the years.

16 Q. And then -- sorry. Go
17 ahead.

18 A. My comment was also using
19 it on asphalt pavements, because there is very
20 little -- for removing rubber on the runways
21 certainly it was effective because it's very
22 difficult to remove aircraft tire rubber from the
23 surface. In terms of regular roadways, my
24 personal experience has been it's pretty
25 aggressive and it's done more damage to the

1 pavement than it did good, and again it didn't
2 last very long.

3 Q. All right. So let's move
4 on to the next question, which is the design
5 image 14 and 15, Mr. Registrar. Question 5 is
6 about Dr. Flintsch's view on the applicability of
7 the UK guidelines to the Red Hill Valley Parkway.

8 In your career, Mr. Hein, have
9 you used or seen the application of the UK
10 guidelines in any Canadian context.

11 A. I have not. I've not
12 seen it used to decision anything to compare it
13 against -- used in Canada at all.

14 Q. And have you seen it
15 being referenced in any guide, like the TAC guide?

16 A. It has been referenced in
17 the earlier versions of the Transportation
18 Association of Canada guide as an example of the
19 framework what another agency is using for
20 determining investigatory limits, for example. It
21 was in the 1997 guide for sure. It might have
22 been in the previous one, I'm not sure. And then
23 it definitely wasn't in the -- most recent one,
24 the 2011 one that was published -- or '14, sorry.
25 It's '14.

1 Q. And so just going back to
2 the '97 version, do you take anything from the
3 fact that it's referenced and that provides a
4 framework?

5 A. I mean, ultimately the
6 direction that the new AASHTO guide is going that
7 was just published a month ago is looking at
8 friction demand and looking at advisory limits and
9 things like that. And so I think it was the
10 intention -- I was involved in writing one of the
11 chapters of that guide but it wasn't the one on
12 friction. But if I recall it was shown as a --
13 here is a framework of how we should approach this
14 if we have sufficient data to be able to support
15 it, and so the UK folks were doing some good work.

16 Q. So you've said you
17 haven't seen the application, the use of the UK
18 guidelines, and in your opinion what's the reason
19 for that?

20 A. Part of it is because in
21 Canada we've had very few agencies who measure
22 friction on a continuous basis other than MTO and
23 MTQ in Quebec. MTQ does something differently. I
24 think we may have sufficient data in Ontario to
25 eventually use our data to come up with something

1 similar to what they do in the UK, but there are
2 lots of differences between -- it's always
3 dangerous to take something from somewhere else
4 and bring it to your jurisdiction without
5 understanding the implications and the complexity
6 of validating it for our conditions.

7 I mean, some things very
8 similar. Obvious things different between the UK
9 and Canada are things like wheel load limits, axle
10 load limits. They have different configurations,
11 they've got different weights, and so they will
12 tend to polish their pavements more than ours do,
13 as an example.

14 And some other thoughts were
15 things like materials, things like asphalt mix
16 designs are not the same in the UK as they are in
17 Canada. The methodology they use is fundamentally
18 different than what we do.

19 Q. All right. And
20 Dr. Flintsch testified on this, and I understand
21 he agrees that if you're going to adopt an
22 investigatory level from a different jurisdiction
23 you should definitely do the testing, but I think
24 he also says that why not just use the UK standard
25 as a reference because there's nothing

1 available -- nothing else available in Ontario to
2 understand the grip numbers. What do you say to
3 that?

4 A. My personal opinion is we
5 have data for the lock wheel tester. We don't
6 have data for grip tester. We don't have for
7 SCRIM which they use in the UK. And I'm not
8 disagreeing that it's an interesting framework.
9 I'm just saying that it would be very dangerous I
10 think to just simply adopt it or use it in Canada
11 without any context, and in particular for one
12 location, one jurisdiction, one road type such as
13 the RHVP. This is a much larger research type
14 effort that would be needed to implement something
15 in Canada.

16 Q. And just on the topic of
17 research and work to implement or adjust to the
18 local conditions, you make reference to the
19 Austroads report in your report?

20 A. Yes, correct. This was
21 one of the first agencies that I'm aware of that
22 thought the Brits were doing a good thing so maybe
23 we can adopt that for use in Australia. And their
24 first look at it was wow, this is going to be
25 really, really expensive to do and doesn't really

1 warrant it being done, but then when they went
2 through their -- they had a pretty good
3 significant research program, to use the framework
4 and to adjust it for their conditions in their
5 country and get comfortable with using it there,
6 and they made modifications to what the Brits had
7 used. And they had some outliers in their own
8 data as well in Australia. But it's not so easy
9 just to grab something and then blindly use it
10 without understanding the consequences, and I
11 think they showed us that was the case certainly
12 with their publications.

13 Q. So that's it for the
14 applicability of the UK guidelines. Let's move on
15 to the next question, which is images 16 and 17.

16 So as you know, Dr. Flintsch
17 undertakes a conversion of grip tester numbers to
18 FN and then adjusts to the 90 kilometres per hour,
19 and his opinion is that the conversion that he
20 undertook is at least reasonably accurate. Do you
21 agree with that?

22 A. I do not. I do certainly
23 see what he's trying to do to get from A to D with
24 going through B and C, but I can't agree that it
25 was reasonably accurate. I believe it was

1 fortuitous maybe that the numbers came in similar,
2 but I think it's very difficult to take a process
3 used by different piece of equipment in a
4 different country and then convert it to have
5 through several other processes that eventually
6 gets to a different speed device and a different
7 unit device that eventually gets you to where you
8 want it to do at the end of the day. So I mean,
9 mathematically it's valid, I suppose, but I would
10 suggest that it would be very dangerous to assume
11 that this is going to be correct in all
12 situations.

13 Q. So in your report at
14 paragraph 44 you describe it as a multistep
15 conversion. What, if any, concern is there with
16 needing a number of steps to complete the desired
17 conversion?

18 A. The more steps that you
19 introduce, different pieces of equipment and
20 different methodologies and pass them through
21 another one to get an answer is problematic. It
22 just doesn't make -- to me it doesn't make a lot
23 of sense. There are too many steps involved and
24 all of them can have errors in them which just
25 compounds the error.

1 Q. Are you expecting any
2 particular conversion to have any reasonable
3 accuracy?

4 A. Not based on my
5 experience certainly. So for 407 ETR we tried a
6 direct conversion between the grip tester and the
7 brake force trailer by testing both pieces of
8 equipment on the same pavement sections on the
9 same day in the same temperature conditions at the
10 same speeds as much as possible to try and go
11 directly from one device to the other, and the
12 answer was we got highly variable results.

13 So we tried to take out all of
14 this multistep conversion and make it a one step
15 conversion and the results were not very useful.
16 They are all over the map basically.

17 Q. So does the fact that the
18 results that were taken on the Red Hill being done
19 at different times, different days, is that
20 concerning to you?

21 A. Yes, yes, it is. I mean,
22 every one of those steps is adding another
23 possible variable in the conversion.

24 Q. At paragraph 46 you list
25 three different studies, one from PIARC, Hermez,

1 the tire -- tyro -- tyro safe studies. I'm not
2 going to take you to any of them. And
3 Dr. Flintsch knows these studies very well. He
4 may been involved in I think the PIARC one. But
5 what do they conclude?

6 A. Just in general, over the
7 years -- many, many years of doing pavement
8 evaluations we have had different techniques and
9 different pieces of equipment that measure
10 different things. And so PIARC, the World Road
11 Association, I've been Canada's representative on
12 their pavements committee and their asset
13 management committee for more than 20 years.

14 And so back in the 1980s
15 smoothness was measured by 10 different devices,
16 and PIARC set out to unify the methodology for
17 smoothness and they came up with what's called the
18 international roughness index. They went to
19 Brazil, they did some work, they found, hey, we
20 can measure the actual profile and these agree
21 with each other. And so they said why don't we do
22 the same thing with friction.

23 And so they tried, and they
24 brought together all the pieces of equipment, and
25 I've described them here in my report briefly, and

1 that they have done this more than once, in fact
2 three times, and the conclusions were is that we
3 cannot correlate the equipment to each other or to
4 a single unit of measure that we would -- that we
5 could use uniformly across the planet literally.

6 So there are -- it's very
7 complicated, very, very complicated, and they have
8 gone as far as saying that perhaps we should throw
9 away all this equipment and use only one kind of
10 equipment, which might be a better idea at the end
11 of the day.

12 Q. These studies, they are
13 from '92, 2000, 2008, do they remain
14 authoritative?

15 A. Yes, they do. And
16 there's always been talk about doing more related
17 to this.

18 Q. You've touched on this.
19 Dr. Flintsch said something that sometimes it's
20 very true, you said that just because the numbers
21 that he converted match up doesn't make it right.
22 He has said simple doesn't mean wrong. What do
23 you think about that?

24 A. Well, I concur that
25 simple is always better. The higher the

1 complexity of something the higher the probability
2 of failure. So I can't say -- I appreciate what
3 he's done, I just think that perhaps it just
4 happened to end up in the -- where the answer was
5 and he's using that to then confirm that there's a
6 value in doing what he did. I don't think it was
7 necessary to do that, but I don't want to call it
8 luck, but hey, maybe.

9 Q. Okay. And then at the
10 end of this section, the last sentence
11 paragraph 49, you say further independent testing
12 would be necessary to validate any such
13 conversion. What further independent testing are
14 referring to there?

15 A. In order to adopt
16 anything like that, like what is being done here,
17 I mean you need to have lots of data, you need to
18 have lots of types of pavements to show the
19 differences between them, different temperature
20 regimes. It's just -- it's not something that you
21 could easily do and apply to the Red Hill. This
22 is more of a higher level of government like the
23 MTO or something like that, or in the U.S. the
24 state transportation departments are the ones who
25 are leading the work in this respect. So it's not

1 something you would see a municipality take on on
2 their own at all.

3 Q. Question 7, this is the
4 last question in your report. Images 16 and 17 --
5 18 and 19, sorry. Which is on page 16 and 17 of
6 the report. Okay.

7 So here you're referring to
8 the ranking of the contributory factors to the wet
9 road collisions and I think there's agreement here
10 with Dr. Flintsch that you can't rank the four
11 factors that are set out in paragraph 50 of your
12 report, correct.

13 A. That's correct.

14 Q. And just very briefly,
15 why do you say that?

16 A. I agree with Dr. Flintsch
17 as well because there are lots of factors that
18 influence vehicle pavement interaction and it's
19 not possible to do them on a general basis, i.e.,
20 friction is number one because it's the reason,
21 end of sentence. Every accident or every
22 collision has potentially multiple factors that
23 contributed towards it and so it would be not
24 reasonable to pick globally what that ranking
25 should be.

1 Q. Table 2 sets out the
2 factors that may play a role in any accident?

3 A. That's correct. Each of
4 those factors is -- you can see there are a lot of
5 them there and so each of them may have some
6 element or component that might be contributing to
7 the potential of those accidents. And suggesting
8 that so one is much higher than something else is
9 an accident by accident decision likely to be made
10 as opposed to something more on a global nature.

11 Q. And just drawing on your
12 experience, have you had previous mandates in
13 determining whether friction is a primary cause of
14 a wet weather accidents?

15 A. We have -- I mean,
16 ultimately I'm brought in because I'm the pavement
17 guy and so I know lots about friction. And so a
18 lot of times -- it somewhat becomes predisposed
19 that they think friction is the primary problem
20 and so you dig deeper into other factors, and my
21 experience again has been is that pavement
22 friction is rarely the major cause of accidents
23 occurring. So -- I'll leave it at that.

24 Q. I think Dr. Flintsch
25 agreed with you that that's the case.

1 A. I recall him saying the
2 same, yes, agreeing.

3 Q. So that takes us to the
4 end of your report but -- so there was one issue
5 that the City or -- you have leave to talk about,
6 which is the polished stone value, and we know
7 that Professor Baaj dealt with that. Have you had
8 a chance to review his report?

9 A. Yes, I have.

10 Q. Do you agree with the
11 conclusions that were reached by Dr. Baaj?

12 A. I do agree with his
13 conclusions, correct.

14 MR. CHEN: Thank you,
15 Mr. Commissioner, those are my questions.

16 JUSTICE WILTON-SIEGEL: Thank
17 you. Mr. Lewis?

18 MR. LEWIS: So Commissioner, I
19 understand it's likely that Ms. Roberts of
20 participants' counsel is the person who is likely
21 to have the longest amount of questioning, other
22 than me. So I would ask Ms. Roberts to proceed.

23 EXAMINATION BY MS. JENNIFER ROBERTS:

24 Q. Mr. Hein, hello. I'm
25 counsel for Golder.

1 A. Nice to meet you.

2 Q. And you. I have a few
3 questions and let me just ask you -- forgive me at
4 the outset as I jump around because I think you
5 addressed much of what I wanted to ask already.

6 A. Certainly.

7 Q. You address
8 Dr. Flintsch's view that the change in friction
9 between the LINC and the QEW and the Red Hill, as
10 between them, violated driver expectation because
11 of the change in friction. And you talked a
12 little bit about the fact that it is the norm
13 driving along a highway to experience whether --
14 objectively you know it or not, but different
15 surfaces and therefore in fact what would be
16 different frictional performance on each section.
17 Do I have that right?

18 A. Correct.

19 Q. Were you aware that the
20 LINC was resurfaced in 2011? That's part of the
21 evidence. I'm not sure if that was part of what
22 was put before you.

23 A. Yes, I was aware of that.

24 Yes.

25 Q. So when the LINC was

1 tested by Tradewind in 2013 that would represent a
2 high point in its frictional performance; is that
3 correct?

4 A. Yes. The early friction
5 performance would be higher, correct.

6 Q. As compared to the Red
7 Hill at that point which had been in use for six
8 years and at that point was beginning to show a
9 friction decline.

10 A. That's correct.

11 Q. And it's perhaps an
12 obvious thing but let me just say it. So the LINC
13 was retested in -- using the ARA, retested in
14 2019. Did you have a chance to look at those
15 numbers when you were also looking at the Red
16 Hill?

17 A. I was aware that some of
18 the testing was done on the LINC. I'm not sure if
19 it was intentional or not, and also on the QEW on
20 the south side.

21 Q. Just so -- the difference
22 in 2013 between the LINC and the Red Hill was
23 quite pronounced, and you saw that in the
24 Tradewind numbers.

25 A. The numbers were -- I

1 gather were definitely -- relatively higher than
2 what was on the Red Hill, correct.

3 Q. And then -- have you had
4 a chance to look at what the difference was from
5 the ARA testing in 2019?

6 A. Yes, and it showed a
7 similar trend.

8 Q. Shows similar trend but
9 the difference was not as significant as it was in
10 2008, correct?

11 A. I can't be specific about
12 that. I'm not sure.

13 Q. I don't think the data is
14 before you. I'm just trying to raise the point
15 that that ebb and flow of differences between
16 different segments of highways, it would be
17 constantly changing depending on the relative age
18 of the surface, correct?

19 A. That would be correct.

20 Q. Thank you. You talked
21 about microsurfacing, and just so that I have this
22 right you have observed that it's been a treatment
23 in place that goes back I think to -- at least to
24 the nineties. Do I have right?

25 A. That is correct.

1 Q. I think in your CV you
2 talked about your involvement with JEGEL and
3 bringing in that technology for use and starting
4 -- sorry...

5 A. No problem.

6 Q. You talked about your
7 understanding that microsurfacing was in fact a
8 technique that had been used in many patients and
9 you reference that he had you knew, for instance,
10 Durham used it regularly. Did I hear that right?

11 A. That's correct.

12 Q. And you raised an example
13 of an application where you didn't think it was
14 effective in treating surface cracking because the
15 cracking came through. Do you remember that?

16 A. That's correct.

17 Q. And let's just go back to
18 the evidence in the review of the Golder
19 recommendation from the 2014 Golder report.

20 That recommendation was to
21 mill and overlay the two-and-a-half -- about a
22 two-and-a-half kilometre section of the roadway.

23 A. That's understanding,
24 correct.

25 Q. And you understood that

1 that was to treat the cracking on the surface?

2 A. That was to treat the
3 more severe cracking on the surface I believe,
4 correct.

5 Q. And the rest of the
6 recommendation to use microsurfacing, that was to
7 treat microcracking. I take it, sir, that the
8 treatment using microsurfacing would have been
9 effective for microcracking of the surface?

10 A. It may have been.

11 Q. But that microcracking is
12 a different circumstance from the example that you
13 gave where you thought microsurfacing wasn't
14 effective for the surface cracking?

15 A. It was -- the issue is
16 related to material quality issues that we had in
17 Ontario at that time and the cracking was surface
18 down material related again, and it was the same
19 problem that we tried it with on the test section
20 I was referring to.

21 Q. But you've got no reason
22 to think that microsurfacing wouldn't have been
23 effective to treat microcracking on an SMA
24 surface, have you?

25 A. No, correct.

1 Q. Thank you. When you
2 talked about the skidabrading and the use of
3 BlasTrac, your experience also brewed some
4 experience on -- sorry -- airports. And is it
5 your experience that techniques such as
6 skidabrading is used regularly on airports?

7 A. Skidabraders, yes, they
8 have been used regularly on airports, correct.

9 Q. So when you say a
10 skidabrader can sometimes be damaging to a
11 surface, I pause at that. It is also the case
12 that some surfaces are regularly treated and the
13 observation that you made of the damage to the
14 surface -- let me rephrase this.

15 A. Okay, you're starting to
16 lose me. Go ahead.

17 Q. Let me try again. So
18 when you make the observation from the experience
19 that -- and I think you raise one example where
20 you observed that the skidabrading had been
21 damaging the surface. I just put it to you that
22 that's not universally the case because airports
23 use the technique regularly.

24 A. That was my personal
25 experience, but yes, it could -- it's one data

1 point, so it's -- it's used in airport surfaces
2 not -- it's used to remove rubber in many cases.
3 That's the primary use.

4 Q. But also, I suggest to
5 you, to improve the surface friction of a runway?

6 A. By removing the rubber it
7 would do so, correct.

8 Q. And the treatment is used
9 repeatedly. So by that I'm -- I ask you to agree
10 with me it's not a universal experience that
11 skidabrading is damaging to a surface as you
12 observed in that one instance.

13 A. That could certainly be
14 the case. Agreed.

15 Q. When you suggested the
16 effect of any of these treatments such as
17 skidabrading or using a BlasTrac was very -- was
18 not enduring, I think you identified like six
19 months, I just want to dig away at your experience
20 with airports because my understanding is that the
21 treatment can be more enduring. When you have
22 seen the skidabrader used in an airport would that
23 be a yearly exercise?

24 A. In Canada my experience
25 has not been it's been used on a regular basis.

1 Not probably yearly. Would have been more than a
2 year or something like that. I don't recall how
3 many times it came in to Canada.

4 Q. I just want to go to the
5 issue of the ARA locked wheel device.

6 You said you and gave
7 testimony that when -- that in 2013 that Golder
8 had first gone to the MTO to try to get them to do
9 the friction testing and that you were aware of
10 the evidence that MTO suggested contacting ARA
11 about the ARA locked wheel device. Do you
12 remember?

13 A. Yes, correct.

14 Q. And that was in the late
15 fall of 2013. You also testified that ARA
16 generally brought up the locked wheel tester every
17 two years?

18 A. That's correct.

19 Q. And I take it, and you
20 mentioned the expense of -- and having to cross
21 the border so it wasn't done regularly. I take it
22 when the ARA brings up the locked wheel tester to
23 test for clients that that is done in the summer?

24 A. Typically that is
25 correct.

1 Q. So Golder would have no
2 expectation in November of 2013 that the ARA
3 locked wheel device would be in the country?

4 A. Wouldn't -- no, I
5 wouldn't expect they would -- they would expect it
6 might be in the country. I don't know they would
7 know or not. I don't know the answer to that.

8 Q. You gave evidence you
9 talked about your involvement with TAC and your
10 involvement in drafting in the introduction of the
11 pavement design and management guide, and you
12 mentioned and acknowledged that the involvement in
13 the 1997 TAC and I -- let's go --

14 Registrar, can I ask you to
15 call up two documents. I'm going reference from
16 -- first one is HAM10056 and then the second
17 someone Golder 3936. If we go to the 10056 first,
18 please. This is an extract from the 1987 pavement
19 TAC's pavement design and management guide.

20 Registrar, can you please go to the next image.

21 Indeed, Mr. Hein, you are
22 identified as national project team, and I think
23 as you said you actually drafted some of the
24 chapters, or least one of the chapters here.

25 A. That's correct.

1 Q. Registrar, can we please
2 go to the next image. This begins on page 60 of
3 the pavement design and management guide and this
4 extract goes through different friction
5 measurements and methods.

6 So I take it, sir, this is the
7 opportunity for TAC to introduce, as a concept in
8 pavement management, the notion of friction
9 testing and the methodologies that are used to
10 test friction?

11 A. I would assume so.

12 Q. Indeed if we go to
13 page 62. So two more, go down.

14 In this section 2.6.2 friction
15 measuring devices, there's a list of different
16 devices. And TAC identifies 84 different such
17 devices but then narrows down and talks about a
18 number of them.

19 Registrar, can we please go to
20 the next page. Among them is the skid trailer and
21 the British pendulum tester. You've talked about
22 the British pendulum tester as one you used at
23 JEGEL.

24 A. Yes.

25 Q. The SCRIM and the grip

1 tester. So the grip tester is identified here as
2 methodology for testing friction on roadways.

3 That's correct?

4 A. Reading it -- hard for me
5 to read the size of this.

6 Q. I'll have to do something
7 about that. Registrar, can we please make it a
8 little larger.

9 A. Much better.

10 Q. Do you see that?

11 A. I see it's written there.
12 I don't see anything about roadways.

13 Q. Isn't the whole thing
14 about roadways?

15 A. Not -- well, TAC's
16 primary focus is roadways but not -- it used to
17 have some airport work as well. We saw friction
18 trailer on your previous image, is also an airport
19 device that's not used on the roadways.

20 Q. I see that. Okay, so if
21 we go back to page 62 it's a comprehensive -- that
22 paragraph says "comprehensive survey of friction
23 measuring devices used in the United States and
24 Canada." It identifies there the locked wheel
25 skid trailer, 51 - 60 agencies responding used the

1 locked wheel trailer, so that's the dominant
2 device for use in roadway friction testing,
3 correct?

4 A. I don't see the word
5 roadway still, or am I missing it?

6 Q. Well, when they talk
7 about agencies responding, my interpretation is
8 that that would most likely be agencies who are
9 managing roads. Do you disagree with that?

10 A. It's possible, although
11 Transport Canada is also mentioned under
12 (indiscernible).

13 Q. And Quebec uses the SCRIM
14 on its roadways, sir?

15 A. I'm aware of that being
16 done correctly.

17 Q. If we go forward to
18 page 64, Registrar. Under section 2.6.4, uses of
19 friction data. When it talks about "some agencies
20 have well-established monitoring programs to
21 identify specific friction related problem areas,"
22 on roads I assume?

23 A. I would assume that.

24 Q. It talks about other
25 agency -- I think it means employ friction

1 measurement equipment on a reactive basis in terms
2 of carrying out pavement, maintenance and
3 rehabilitation to ensure public safety. Do you
4 see that?

5 A. I see that.

6 Q. And then if we go
7 forward, please, Registrar to page 66. This is
8 the reference to -- thank you. Can you make that
9 so we can see the whole table?

10 This is, if I understand from
11 reading the bottom of 2.6.4, use of the friction
12 data, it says "some agencies refer -- have
13 developed criteria for identifying low friction
14 pavement surfaces," and the example is at table
15 2.6 Pennsylvania.

16 You looked at something
17 similar I think at the beginning of your report
18 where you looked at different agencies
19 particularly in the United States and what they
20 are looking at for guidance in terms of -- to
21 evaluate friction.

22 A. Correct. Sorry.

23 Q. And then it goes forward
24 and identifies in the paragraph below a
25 comprehensive actual standard for surface friction

1 of roads was issued in January of 1988 by the
2 British Department of Transport and it uses the
3 SCRIM surveys and provides for adjustment of
4 surface friction to a level appropriate to
5 accident risk. And that's shown in table 2.7.

6 And that's the standard where
7 detail investigation to identify an investigatory
8 level and it's shown in table 2.7.

9 Now, table 2.7 isn't part of
10 this extract, sir, but it is in the Golder extract
11 so forgive me for jumping around.

12 Registrar, can we please call
13 up Golder 2936.

14 THE REGISTRAR: I'm sorry,
15 2936?

16 MS. JENNIFER ROBERTS: 3936.
17 There we have it. There's your 1987 Pavement
18 Design and Management Guide. And, Registrar, can
19 you please go to page 67, which is actually the
20 next page, the one we've just been looking at, and
21 it's the third image. 67, next one, please.
22 There we go.

23 This is table 2.7 which has
24 just been referred to. You'll agree here that TAC
25 is setting out the British Department of Transit

1 standard for investigatory level of road surface
2 friction resistance.

3 A. What was the question? I
4 didn't understand.

5 Q. I'm just observing what
6 it is first.

7 A. Okay, yes.

8 Q. You'll agree with me that
9 this is in the TAC guide and intended to provide
10 guidance to industry and to agencies who are
11 trying to evaluate the surfaces of the highways.

12 A. I would expect that this
13 was an example what was being provided as the one
14 was for Pennsylvania. I wouldn't say this is
15 directly guidance.

16 Q. It is an example of
17 guidance, is that the point --

18 A. Sure.

19 Q. Registrar, you can take
20 that down. When you say in your report that you
21 haven't seen UK guidelines used as a reference to
22 assess frictional qualities, that is in fact not
23 the case, sir, that it's in the TAC guide?

24 A. My comment is that I've
25 not seen it used by any Canadian agency as a

1 reference for evaluating friction values in
2 Canada.

3 Q. So it's in the TAC guide
4 but you're saying in your experience you haven't
5 seen it used?

6 A. That's correct.

7 Q. Okay.

8 MS. JENNIFER ROBERTS: I
9 wonder I might have your indulgence. I'm trying
10 not to repeat the evidence that has been given,
11 and to bring -- to extend this more than needs to
12 be done. Would you provide me the indulgence of
13 five minutes to look at this?

14 JUSTICE WILTON-SIEGEL: Sure.
15 Why don't we return at 12:15. Stand adjourned
16 until 12:15.

17 MS. JENNIFER ROBERTS: Thank
18 you.

19 --- Recess taken at 12:08 p.m.

20 --- Upon resuming at 12:15 p.m.

21 MS. JENNIFER ROBERTS: Thank
22 you, Commissioner. Thank you for your indulgence.
23 Those are my questions. I have no further
24 questions. Thank you.

25 MR. LEWIS: I believe --

1 MR. CHEN: We've lost our
2 volume. I can see you, but I can't hear you.

3 (DISCUSSION OFF THE RECORD)

4 JUSTICE WILTON-SIEGEL:
5 Mr. Registrar, why don't we go off the live feed
6 for a couple minutes until we're advised Mr. Chen
7 is back on-line.

8 THE REGISTRAR: Absolutely.
9 Going off-line right now.

10 --- Recess taken at 12:17 p.m.

11 --- Upon resuming at 12:22 p.m.

12 MR. LEWIS: We're back after
13 that interruption. Mr. Bourrier is up next for
14 the MTO.

15 MR. BOURRIER: Commissioner,
16 I actually don't have any questions for this
17 witness.

18 JUSTICE WILTON-SIEGEL: Okay.

19 MR. LEWIS: And last I checked
20 Ms. Laurion for Dufferin did not anticipate any
21 questions but that could change.

22 MS. LAURION: That's correct,
23 Mr. Lewis, no questions.

24 MR. LEWIS: A bit of if it's
25 and starts there. Commissioner, I normally would

1 take our lunch at 1. I'm not going to be super
2 long, probably about an hour, maybe not. But I
3 won't make it before lunch so I think what would
4 be better is if I reviewed my notes and was able
5 to slim down what I -- pick up some things, other
6 things that were said today and it will be a more
7 orderly and faster cross if we start after lunch.

8 JUSTICE WILTON-SIEGEL: Well,
9 is that agreeable to everyone else then we would
10 take usual break and that would put us about 20 to
11 2:00 to return.

12 MR. LEWIS: Correct.

13 JUSTICE WILTON-SIEGEL: 1:40.

14 So let's stand adjourned until 1:40.

15 --- Recess taken at 12:23 p.m.

16 --- Upon resuming at 1:40 p.m.

17 MR. LEWIS: We're back.

18 Commissioner before I commence, there is just a
19 housekeeping matter, and we would like to
20 introduce as an exhibit the affidavit of Ron Sabo
21 which what is affirmed February 23rd, yesterday,
22 2023. The doc ID is RHV1043. Copies have been
23 provided previously to participants' counsel, all
24 of the whom advised they would not be
25 cross-examining on the affidavit which relates to

1 documents recently found by Mr. Sabo that were
2 produced to the inquiry on February 7th, 2023,
3 and it supplements the overview documents and
4 Mr. Sabo's evidence given on October 4th and 5th.
5 2022.

6 JUSTICE WILTON-SIEGEL: Okay.

7 MR. LEWIS: So with your
8 permission I would ask the Registrar to there's
9 mark RHV1043 as an exhibit, which I believe is
10 238.

11 JUSTICE WILTON-SIEGEL: That's
12 fine, on the basis there's no objection from any
13 of the participants.

14 MR. LEWIS: Note as
15 Exhibit 238.

16 EXHIBIT NO. 238: Affidavit of
17 Ron Sabo affirmed February 23,
18 2023; RHV1043

19 JUSTICE WILTON-SIEGEL: Thank
20 you.

21 EXAMINATION BY MR. LEWIS:

22 Q. Thank you.

23 Mr. Hein, I'm going to test
24 your memory on a couple of factual matters that
25 don't pertain to your report as we get started.

1 But since this morning you referred in detail to a
2 couple of specific projects on Morningside Avenue
3 in the nineties and Scarborough and the SMA
4 project on Miller Avenue in Markham. I'm
5 confident you'll be able to help us out here.

6 The first thing, Registrar, if
7 we could go to overview document Exhibit 8, and
8 page 62 and 63 please. This is just what we call
9 the overview document, one of the chapters in it,
10 which introduces -- they are already in evidence
11 but it introduces various documents and it's a lot
12 of pages and this is just one particular issue
13 that I want to bring you to.

14 If you look at page 16 --
15 paragraph 165, and we can expand any of these if
16 you want. Can you read that or do --

17 A. I can read it.

18 Q. So paragraph 165 refers
19 to you on January 22nd, 2018 e-mailing Dr. Ludomir
20 Uzarowski under the subject line "Red Hill Valley
21 Friction Problem." And you wrote:

22 "Next time you need friction
23 testing on RHVP let me know.

24 We have an ASTM brake force
25 trailer in the Toronto area

1 every day here to do the
2 testing."

3 And then you refer to hot
4 in-place recycling technology not being new even
5 remotely.

6 Dr. Uzarowski responds,
7 "Thanks for letting me know. If I need it I'll
8 let you know," and he agrees with you about hot
9 in-place recycling not being new and refers to
10 working for JEGEL and so forth.

11 And then you respond in
12 closing a link saying day 2, article in the
13 Hamilton spectator dated a week earlier,
14 January 15th, 2018 titled "Scratching the Surface
15 for Answers on Red Hill Paving." And you said
16 "here is the link to the article not very well
17 written," and then the article -- substantial part
18 is excerpted on the next page.

19 Why were you writing to him
20 about RHVP friction problem? That's the first the
21 question.

22 A. You're testing my memory
23 because I must've read the word "friction" or
24 something or slippery or something in the article,
25 because other than that I don't know why I would

1 call out specifically a friction problem. And I
2 saw an article on this from the Hamilton
3 Spectator, which is obviously the link that's
4 there, and I was simply reaching out the Ludomir
5 because I know him and used to work with him, and
6 said if you need friction testing let me know.
7 Basically since -- it's a business development
8 kind of thing.

9 Q. I certainly get the
10 business development part, but how did you know
11 that Dr. Uzarowski was involved in friction
12 testing. Let me tell you, that's not in the --
13 you're right it refers in the article -- agree,
14 you know, some complaints about that and
15 collisions and so forth. But it doesn't say --
16 and it refers to a consultant having tested the
17 asph- -- being hired to test it and so --

18 A. So ultimately I knew that
19 Ludomir had done -- he had been intimately
20 involved in the Red Hill for quite a long time.
21 It was being what's called a perpetual pavement.
22 I'm sure he was hired by the City of Hamilton to
23 do that kind of work. So I was fully aware that
24 Hamilton was one of his clients, and I honestly
25 don't recall specifically the friction -- I

1 must've read it somewhere, I just don't recall
2 exactly where it was. I certainly knew Ludomir
3 was working on the Red Hill Valley Expressway
4 because he published the papers on it. I think I
5 was invited to go to the opening I think at one
6 point. If I recall correctly I couldn't make it.
7 But -- I knew he was involved in the highway.

8 Q. Did you know he was
9 involved in friction testing though? It was well
10 known -- a lot of articles about it, so that's for
11 sure. Did you know -- because it's very specific
12 about "next time you need friction testing." So
13 that's what I'm getting at.

14 A. I just don't recall.

15 Q. The other thing is
16 something that you said this morning. It refers
17 to a friction problem, and this morning you said
18 ultimately -- I think I'm quoting you correctly,
19 'ultimately I'm brought in because I'm the
20 pavement guy who knows a lot about friction.
21 Often you have someone predisposed to think it is
22 friction but it's rarely the major cause of
23 accidents occurring.'

24 As that issue perspective, and
25 you're friction expert and have heard a lot from

1 friction people, that it isn't always necessarily,
2 so. And, as you said, it usually isn't. I
3 wouldn't have thought that coming from this
4 article you would then brand it a friction
5 problem. That's why I'm wondering if you had
6 heard that specific?

7 A. I would not -- I would
8 expect that I heard something but I don't recall.

9 Q. Did you hear anything
10 from someone inside the City about testing?

11 A. Oh, no, no, not at all.
12 I had no significant relationship with the folks
13 related to this area in Hamilton.

14 Q. Okay. Well, what about
15 Gary Moore. You had worked with him before?

16 A. I've never met him to my
17 knowledge. I know that we were hired to do some
18 friction testing on the LINC and I -- I'm pretty
19 sure we never met before but they definitely hired
20 JEGEL, the company, to do friction testing.

21 Q. Right, and that was the
22 next one I was going to ask you about, which was
23 back in 1997 and 1999. JEGEL was retained to do
24 British pendulum friction testing and sand patch
25 macrotexture testing on the LINC, and there's a

1 couple of reports, one in 97 and one in 99 on the
2 subject addressed to Gary Moore that are signed by
3 you and Mark Berkovitch, then of JEGEL, as well.

4 So -- and they -- the reports
5 deal with a comparison of skid resistance
6 characteristics between sections with steel slag
7 aggregates and traditional stone aggregates, and
8 I'm wondering if you recall why Mr. Moore wanted
9 to know what their relative skid resistance
10 characteristics were. Do you recall?

11 A. Off the top of my head I
12 do not. I believe -- so my partner, John Emery,
13 was substantially involved in the use of steel
14 slag aggregates for roadways in Ontario and there
15 became some issues with those pavements with a
16 reaction that happened with calcium oxide, I won't
17 go into details, but it was banned for use in
18 Ontario for the longest time. And I know the City
19 of Hamilton, being the steel city, was interested
20 in solving that problem.

21 I do recall definitely the
22 LINC testing was done with the new steel slag,
23 quote/unquote, that was being produced by Stelco
24 or Dofaso, and they did some test sections up
25 there. So it's possible again, my memory --

1 possible my partner was contacted by Gary, because
2 they would have known each other, and then it came
3 down to me and my group to go and actually give
4 the testing.

5 Q. There's a fax that you
6 then sent to Mr. Moore on July 20th, 99, which I
7 can take you to, but it attaches information from
8 the TAC guide?

9 A. I recall that.

10 Q. It's one Ms. Roberts took
11 you to earlier, those excerpts. Did you review
12 that just in advance of testifying today?

13 A. I did.

14 Q. Sorry?

15 A. I did.

16 Q. You did, okay. I'll take
17 you to it if you want, but there's handwriting on
18 there. Is that your handwriting? It seems to be
19 pertaining to the --

20 A. It is my handwriting,
21 correct.

22 Q. This just for the record
23 is in HAM10056 and it's image 4 and maybe image 6
24 as well, but certainly image 4.

25 Say "we probably test it here

1 and here" and pointing to the measure by British
2 pendulum microtexture and measured by sand patch
3 macrotexture. So you're pointing out to him what
4 the measurements were measuring; is that right?

5 A. That's correct.

6 Q. Do you recall why you
7 sent this to Mr. Moore? Did he ask for it, do you
8 know?

9 A. I recall him asking for
10 it and I don't recall if it was by fax or if it
11 was a phone call, but I recall -- I think it must
12 have been a phone call because I think I recall
13 saying I can send you a fax or some additional
14 information, and that's why I faxed it to him.

15 Q. Do you recall why he
16 wanted it, do you know?

17 A. No, I don't. I assume
18 it's simply he didn't understand what it was and
19 he was looking for more backup information.

20 Q. You can take that down,
21 Registrar. Thank you. That I think does it for
22 the memory test.

23 You were at ARA from about
24 2000 to end of 2019; is that right?

25 A. Correct.

1 Q. You had some involvement
2 in the ARA testing done on the Red Hill in
3 May 2019; is that right?

4 A. Yes, correct.

5 Q. Did you also in the
6 September 2019 post resurfacing testing by ARA?

7 A. No, I don't believe so.

8 Q. I don't need to take you
9 to it but there was some communications between
10 you and people at the City about the testing in
11 May 2019 and logistics for it. I take it from
12 having been involved in that testing that you
13 considered the testing to have been properly done
14 and the results accurate; is that fair?

15 A. Yes, would be fair.

16 Q. And you in your report
17 conclude that the ARA -- when I talk about the ARA
18 results unless -- I'm talking about the May 2019
19 ones --

20 A. Fair enough.

21 Q. -- talk about the post
22 ones right now, if at all. But that those results
23 from the ARA testing at 90 kilometres an hour had
24 overall pretty similar results to the MTO 2014
25 locked wheel testing, indicating that the friction

1 levels had levelled off?

2 A. I'm not sure how to
3 answer that because the MTO did different sections
4 than we did. We did more than MTO did. Did it
5 support the conclusion that the average values
6 were similar? The answer is yes.

7 Q. I mean it's in
8 paragraph 32 of your -- as I note, 32 of your
9 report. RHVP friction levels are acceptable in
10 2014. They levelled off by then. You're
11 referring to the MTO testing?

12 A. Correct.

13 Q. And you know they
14 levelled off because of the ARA testing?

15 A. Yes, I guess so.

16 Q. And then in your CV -- if
17 we can go to image 30, please, of the report. I'm
18 not taking issue with your qualification but
19 there's just one issue here.

20 Under "Forensics and
21 Litigation" the first bullet is:

22 "Subject matter expert for
23 legal action related to
24 pavement engineering and
25 safety for a major highway in

1 southern Ontario 2019 to the
2 present."

3 Am I correct that that refers
4 to civil proceedings against the City of Hamilton
5 pertaining to the Red Hill?

6 A. Correct.

7 Q. You weren't involved in
8 the inquiry till much later?

9 A. That's correct.

10 Q. Like 2021 when you got
11 involved, right?

12 A. That's correct.

13 Q. Part of what you, through
14 ARA, at the time were retained to do in respect of
15 civil proceedings, was the ARA testing we just
16 discussed, right?

17 A. Yes, correct.

18 Q. And ARA was retrained by
19 Gowlings, right, which was and is counsel to the
20 City in --

21 A. Yes.

22 Q. -- in the civil
23 proceedings?

24 A. Correct.

25 Q. And are you, or through

1 your personal consulting firm, retained still in
2 respect of is civil proceedings against the City?

3 A. That's correct.

4 MR. CHEN: Mr. Lewis, just a
5 point here, I'm not sure of the relevance of this
6 particular line of questioning. I'm also kind of
7 wary of privilege matters being touched on.

8 MR. LEWIS: I'm not going to
9 get into anything privileged, and the retainer
10 itself of course is not privileged, particularly
11 since it's in Mr. Hein's CV, and because,
12 Commissioner, the City explicitly represented in
13 Mr. Chen's December 7th, 2022 letter to commission
14 council seeking leave to file Mr. Hein's report
15 that one of the three reasons for seeking leave
16 was, and I quote:

17 "The Commissioner's findings
18 may have influence beyond this
19 inquiry and other legal
20 proceedings, therefore it is
21 important the Commissioner
22 receives a balanced response
23 on the technical issues
24 relating to the safety and
25 design of the RHVP."

1 So I just wanted to make sure
2 about Mr. Hein's continued retainer in civil
3 proceedings, which does overlap with this
4 proceeding, and I do not intend to get into his
5 instructions with respect to the civil
6 proceedings, if that's what Mr. Chen is concerned
7 about.

8 MR. CHEN: The relevance about
9 his retainer is different from the relevance we
10 were talking about in the letter. That was with
11 respect to certain technical findings that would
12 be made in the inquiry.

13 MR. LEWIS: Right, and
14 Mr. Hein's report is -- that we're dealing with
15 today was delivered at -- one of the purposes was
16 about the influence that findings in this inquiry
17 could have on civil proceedings.

18 Mr. Hein is also retained in
19 respect of civil proceedings and, therefore, any
20 testimony or report by him is something that could
21 have influence in the civil proceedings.

22 MR. CHEN: So just --

23 MR. LEWIS: I don't think
24 that's in doubt, is it?

25 MR. CHEN: Sorry, what?

1 MR. LEWIS: That's -- I want
2 to -- I'm entitled to probe, as I just have, as to
3 Mr. Hein's dual retainers, if I may put it that
4 way, which I've done. I don't need to take it any
5 further than that.

6 MR. CHEN: If that's the end
7 of the inquiry then, sure.

8 BY MR. LEWIS:

9 Q. So you can take that
10 down, please, Registrar, thank you.

11 So, Mr. Hein, you've worked I
12 think extensively with the MTO during your career
13 but you were never actually at the MTO yourself;
14 is that right?

15 A. As an employee, no.

16 Q. We've had, I'm sure
17 you're aware, a whole bunch of current and former
18 MTO employees who have direct and personal
19 knowledge of, over time, the MTO's friction
20 management and testing processes who have
21 testified.

22 I just want to be clear -- I
23 don't take you, that's suggesting but let me know
24 if I'm wrong -- I don't take you as suggesting
25 that you have better knowledge of the MTO's

1 friction management and testing practices and
2 procedures than its employees in those areas.

3 A. Of course.

4 Q. Okay. Regarding
5 Highway 407, we had someone from the 407 ETR
6 testify about the friction management practices,
7 which was Mr. Craig White. I assume from the way
8 you've described your involvement you know
9 Mr. White?

10 A. Of course, yes.

11 Q. Is he effectively your
12 client in terms of the person you deal with?

13 A. He's the operations
14 manager, so yes.

15 Q. I don't mean in a
16 technical sense.

17 A. Okay.

18 Q. You indicated this
19 morning there was an issue with the grip tester on
20 the 407 about it bouncing around, I think is the
21 way you described it, not staying on the pavement
22 and you thought that it was -- probably because it
23 was much lighter than the locked wheel tester,
24 that that would cause variations in the grip
25 tester numbers that you saw. Is that a fair

1 characterization?

2 A. Correct.

3 Q. Mr. White did testify

4 about that. And to summarize it, what he said was

5 that as a result of testing at the higher speeds

6 than the usually 50 kilometres per hour -- I think

7 you did mention that about moving, getting the

8 speeds closer together -- that it was on concrete

9 where really there was a problem with that. And I

10 think it was to do with the joints on concrete.

11 But he said it was better on asphalt where, and I

12 quotes, "it did give us good measurements." Do

13 you disagree with Mr. White on that, or agree?

14 A. No, I agree with him.

15 Q. And asphalt, of course,

16 is what we're dealing with on the Red Hill?

17 A. Correct.

18 Q. As for correlation,

19 Mr. White testified that -- it wasn't bad when

20 they correlated using both the asphalt and the

21 concrete results, I think he said the R-squared

22 was okay with that but less so definitely when

23 they used individually asphalt and concrete

24 results. Do you agree with that?

25 A. That's correct.

1 Q. Still a little more in
2 the background. When you took your trip to the
3 DR, Dominican Republic Len Taylor of --

4 A. Yes.

5 Q. I think you said that
6 what is teach some folks down there about using
7 the grip tester?

8 A. Correct.

9 Q. Was that with respect to
10 airport runways or the road network, do you know,
11 recall?

12 A. I would expect it was
13 more roadway oriented than it was runways. I
14 don't recall going out on a runway or anything in
15 the DR related to that.

16 Q. So presumably if you were
17 down there to teach them about it you weren't
18 telling them that it wasn't okay for use on roads?

19 A. No, I did not.

20 Q. In your report, if we
21 could go to -- I don't need -- in paragraph 10 you
22 talk about, in your report, a number of the
23 documents from are public/private partnerships and
24 highways including the 407, right? And you
25 indicate that examples of these are the 407, 427

1 north extension and the use of FN30 as an
2 investigatory level on these facilities, right?

3 A. Correct.

4 Q. And you say the
5 investigatory criteria for friction is identified
6 as FV, friction value, as greater than 30 when
7 travelling at the posted speed based on the
8 500-metre average values of friction. And one of
9 the documents you cite, if we could pull that up,
10 Registrar, is HAM64455.

11 And while he's pulling it
12 up -- this is schedule 20 of the 407 concession
13 agreement that you referenced in the report.
14 Although he didn't have it in front of him this is
15 also something that we asked Mr. White about, that
16 he talked about when he testified back in June.

17 If we could go to image 6. If
18 you could, Registrar, call up the bottom
19 paragraph. I won't read it, but as I read it it
20 requires an immediate investigation if FN equals
21 30 or below; is that correct?

22 A. State that again please.

23 Q. It requires an immediate
24 investigation by 407 ETR when the skid number is
25 30 or below?

1 A. Correct.

2 Q. So then -- in the last
3 sentence where it says "remedial action is also to
4 be undertaken whenever a surface friction problem
5 is thought to exist irrespective of the surface
6 friction skid number," which to me recognizes that
7 there can be a surface friction problem even if
8 the FN30 requirement is met. If there's a problem
9 it's thought to exist that you need -- it has to
10 be addressed.

11 A. Yeah, that's what the
12 wording says, correct.

13 Q. That's a sensible
14 approach, is it not, because notwithstanding there
15 being an investigatory level, whatever that is,
16 whether its' 30, whether it's 25, whether it's 35,
17 whatever it is, there's no absolute number at
18 which a pavement safe or unsafe. Do you agree
19 with that?

20 A. Agreed, yes.

21 Q. I think you talked about
22 that earlier. You said that when you were talking
23 about the numbers a shade below 30, you said it's
24 not cast in stone that 29.9 is bad.

25 A. Correct.

1 Q. More, 30.1 isn't
2 necessarily good if there's high friction demand
3 there, right?

4 A. Correct.

5 Q. And so that is the
6 approach that Mr. White talked about, was that if
7 -- reflecting this I think -- that if in fact
8 there is an issue that exists where it's above --
9 even if the FN level is above then they would need
10 to investigate?

11 A. That makes sense.

12 Q. There's all sorts of
13 things that come into an investigation. It's the
14 kind of things that you talked about, which would
15 be a visit to look at, to see if there's fat spots
16 or see if there's polishing or to see where on the
17 road is it in terms of the geometry or their tight
18 turns or their close interactions. All things
19 like that, right?

20 A. Correct.

21 Q. Would you also agree that
22 generally speaking that's the MTO approach?

23 A. Generally speaking, yes.

24 Q. I mean it's not -- sorry?

25 A. This was the first time I

1 had ever seen an actual document have a specific
2 requirement for friction.

3 Q. And I think that's fair.

4 We heard a lot about it and, you know, there was a
5 time where the MTO -- not just with the 407, what
6 they were looking at and implementing standards
7 and contracts and IL levels in contracts and --

8 A. Yes.

9 Q. Okay. So you can take
10 that down Registrar, thank you.

11 You said this morning, if we
12 could pull up figure 5, and it's maybe it's the
13 revised one which was -- right, it's the A, that's
14 where I couldn't find it. Thank you.

15 If we could pull up HAM64785,
16 which is Exhibit 222A. I think this morning when
17 you were talking about these numbers and the
18 correction to the numbers for the southbound lane
19 2 from the ARA 2019 results averaged over the
20 500-metre spans, as you've done on all the figures
21 2 through 5, that because -- and specifically on
22 the southbound lane 2 here, that because of the
23 proximity of -- to each of the -- to the sub 30
24 numbers, proximity of those, that you might go
25 look at the road surface to see if anything was

1 contributing to those numbers, to view the road,
2 see if a patch repair was done with the different
3 material or aggregate, looking for wear on the
4 surface, defects like ravelling, things like that,
5 that are different than the things around it.

6 A. Correct.

7 Q. And that sounds to me
8 like an investigation; is it not?

9 A. Yes, certainly part of an
10 investigation, yes.

11 Q. Exactly, and it's part of
12 it. The other things you might do from a traffic
13 safety perspective, as opposed to -- and I think
14 you were frank in saying -- not sure exactly the
15 words used, but you're the pavement guy, not a
16 traffic safety guy, right?

17 A. That's correct.

18 Q. Is that another thing you
19 might do for a full investigation is you look to
20 what to see whether, like we were just talking
21 about, the lower friction levels are in areas of
22 again tight geometry is one thing?

23 A. Correct.

24 Q. Where curves or
25 interchanges or ramps, those things are closer

1 together or there's a series of curves, those kind
2 of things?

3 A. Sight distance, other
4 things like that, correct.

5 Q. Yeah, the kind of things
6 that a traffic safety person would be looking at.
7 I know there's sort of a divide and expertise,
8 I've learned that over the course of this inquiry,
9 right?

10 A. Correct.

11 Q. So those are things that
12 holistically, I think you even used that term,
13 that holistically if you're doing an investigation
14 that's triggered by an investigation level, that
15 you would be looking at, right, to see are these
16 -- do they matter or not?

17 A. Correct.

18 Q. And another thing of
19 course that you do as part of that would also be,
20 depending on looking at the collision statistics,
21 and one of those is the wet road collision
22 proportions, that's one of the things to look at,
23 and an important one.

24 A. Correct.

25 Q. And that's because the

1 IL, the investigatory level, is not the be all and
2 end all on either side of it?

3 A. That's correct.

4 Q. Right? Okay. If it's on
5 a straightaway, if you've got something that's in
6 the low 20s that might not matter if it's in a low
7 friction demand area, I think you were saying.

8 A. That's correct.

9 Q. But it could even if it's
10 over 30 it could matter in a high friction demand
11 area for all sorts of different types of friction
12 demand?

13 A. Correct.

14 Q. But at the same time I
15 think from what you've said you would agree with
16 me that whatever an investigatory level is,
17 wherever it's set, we can use 30 or -- hitting 30
18 or below 30, whatever, or FN or SN or GN, whatever
19 it is -- if you hit that level that is -- the
20 purpose of it then is to trigger an investigation;
21 not remediation but an investigation?

22 A. That's correct.

23 Q. So when you talk about
24 that you don't agree with Dr. Flintsch that the
25 friction was relatively low you also say that in

1 fact the results are acceptable for a pavement of
2 its age and that's based on the FN30 guideline of
3 the MTO.

4 A. Right.

5 Q. And some MTO witnesses
6 have testified, I think it's consistent with what
7 you're saying, that results in the low 20s, you
8 said low 25, certainly low 20s where you have sort
9 of -- you look at it and you have an automatic
10 concern, right?

11 A. That's correct.

12 Q. But that's not about an
13 investigation level. That's sort of the kind of
14 thing where -- I think Becca Lane talked about
15 this, and I expect you have some familiarity with
16 from the MTO, but she and a couple others talked
17 about if it's below 25, in the low 20s, then you
18 might be looking at going straight to some
19 remediation. But you might not be at all
20 concerned if it's higher than that depending on
21 the results of your investigation. But below 25
22 it's sort of like, oh, that's a real concern, just
23 facially?

24 A. Not just only because of
25 that. If there's a track record of it going down

1 faster or -- it levelled out, and so I'm not
2 expecting it to go down to 25 in six months or
3 something like that.

4 Q. Right, but trajectory
5 matters.

6 A. Trajectory matters of
7 course. May stay like this for 10 years.

8 Q. Right. When we look at
9 those figures -- well, figure 5 we can look at,
10 and I think the results of the corrections that
11 you gave most, if not all, of them had minor
12 corrections and you -- what it changes in terms of
13 the FN30 is that instead of four of the 500-metre
14 stretches being below 30 there are three, right?

15 A. Yes. For this section,
16 yes.

17 Q. On the updated one it's
18 the second -- starting from the left it's the
19 second, fourth and fifth the ones that are still a
20 little bit under 30?

21 A. Correct.

22 Q. Is that just the plotting
23 was off between --

24 A. It was a transposition
25 error where after 7 and 6.5 one value is

1 mis-skipped and then all the other ones were
2 adjusted by the value it was skipped, so they had
3 to be moved back to their original position.

4 Q. Got it. And what you
5 said in your report was that, and I think you used
6 the same words today but correct me if I'm wrong,
7 but you stated that okay, yes, there are some
8 values over 500-metre stretches that are a bit
9 below 30, but they are all minor and
10 inconsequential deviations. That's what you say
11 in your report, right?

12 A. Correct.

13 Q. But in terms of an
14 investigatory level, that seems to be having it
15 both ways to me. Like, I totally get that it's
16 not -- doesn't become unsafe if it's under 30, as
17 we just described, that's not automatic. But in
18 terms of an investigatory level, that is the
19 trigger, right? That's why it's an investigatory
20 level?

21 A. Investigatory level can
22 be a range of numbers too.

23 Q. No, no, I'm just talking
24 about this -- you pin your report on to say
25 (skipped audio) adequate?

1 A. Correct.

2 Q. If that's the measure
3 that you're using to determine whether or not
4 friction is adequate, if it drops below -- I'm not
5 trying to trap you into anything, I completely
6 understand that it doesn't mean it's unsafe at
7 that point. But it does trigger an investigation
8 if that's the investigatory level you're applying,
9 correct?

10 A. Yes.

11 Q. Maybe after the
12 investigation is done maybe it's all fine for the
13 reasons that we talked about. If it's on a
14 straightaway, you don't have a lot of friction
15 demand maybe it's doesn't matter. If there's a
16 lot of friction demand maybe it does; is that
17 fair?

18 A. Fair.

19 Q. Just on the driver
20 expectation point about the adjacent friction. If
21 we could go to Dr. Flintsch's report at page 8,
22 which are the ARA detailed results that you were
23 looking at earlier today. I guess it's 8 and 9
24 but -- yeah. Can you see both of those okay?

25 A. Reasonably well, yeah.

1 Q. We talked about at each
2 end there's -- in the gray areas we've got, what,
3 the different pavements and -- where it goes up.
4 And it goes up some at the end of the LINC on the
5 left and on the right at the north end of the QEW
6 interchange it goes up a lot?

7 A. Yes.

8 Q. So really -- I mean, we
9 know the 2019 ARA result averages for the Red Hill
10 portion are in the low 30s depending on the lane,
11 right, between 31 to 34 --

12 A. Correct.

13 Q. -- on a complete facility
14 average. And then it's going up to -- quite
15 quickly to the low 50s and mid-50s and there's
16 even results on the southbound lanes coming onto
17 the Red Hill from the north where it hits 60, but
18 anywhere between 50 and 60 on both sides. Fair?

19 A. Fair.

20 Q. So that's a consistent
21 difference between -- of around FN20 between the
22 QEW interchange and the Red Hill, right?

23 A. Correct.

24 Q. And you said that the
25 difference in friction levels is not significant.

1 So I appreciate what you said about typical
2 drivers versus race car drivers and all of that
3 and appreciating it. When you talk about that I
4 assume you're not saying that a difference of FN20
5 is not a significant difference. You're referring
6 to it not being significant to the average driver
7 and their perceptions and expectations.

8 A. Correct, that's correct.

9 Q. I've heard your evidence
10 and it does just seem that you have a difference
11 of opinion with Dr. Flintsch on it. But there is
12 one thing that I want to ask that -- I want to ask
13 you about that.

14 It seems to me from what I've
15 heard from you that it's not that the average
16 driver appreciates the difference. They are not
17 consciously thinking about what the friction is on
18 different stretches of highway but, rather, is
19 that they don't appreciate it, and they gain at
20 some appreciation of if they hit the brakes on a
21 high friction area they get some general sense of
22 how long it's going to take them to stop, and if
23 they're on a much lower friction area then they
24 might not have that buffer. So it's more of a
25 lack of appreciation of friction. Do you agree

1 with that or no?

2 A. Interesting way to
3 describe it, but it's possible.

4 Q. Okay.

5 A. I'm looking at this
6 graphic right now and you see the huge difference
7 at the QEW, and I know there's some ramps there, I
8 know some bridges there, bridge with different
9 asphalt on it, but if it's a new facility that was
10 -- you wouldn't -- nobody would know the
11 difference between them. The average driver
12 doesn't have any appreciation.

13 Q. I'm not sure the -- of
14 using you. But just -- we heard about the LINC,
15 how it was resurfaced just in 2011, but we know
16 that the QEW interchange was completed in late
17 2008, early 2009, so we're not dealing with any
18 new pavement there --

19 A. Right.

20 Q. -- either so ...

21 You can take that down,
22 Registrar, thank you.

23 Regarding the UK standards.
24 Before I get to that I want to come to a couple of
25 things.

1 First of all, I don't see in
2 your report any suggestion -- start with Englobe.
3 You've reviewed the Englobe grip tester results?

4 A. Correct.

5 Q. And you don't take any
6 issue with those as being inaccurate or
7 unreliable, right?

8 A. Correct.

9 Q. We already discussed you
10 don't take any issue with the ARA -- obviously the
11 ARA results being inaccurate or unreliable, and I
12 think similarly with the MTO results, although
13 it's a shorter segment that they are --

14 A. Right.

15 Q. And you don't take any
16 issue with -- as we discussed you agree that the
17 MTO and ARA results show that skid resistance had
18 levelled off from 2014 on?

19 A. Correct.

20 Q. And same with the
21 Tradewind grip tester measurements. You don't
22 suggest in your report that there is any issue
23 with respect to the accuracy and reliability of
24 the results themselves?

25 A. That's correct.

1 Q. And I don't think you
2 take any issue with the observation that the
3 Englobe results in 2019 are consistent with the
4 Tradewind results showing like the ARA/MTO
5 results, that the friction had levelled off?

6 A. Yes. So the grip numbers
7 are comparable to each other, the break force
8 trailer numbers are comparable to each other and
9 they show it's levelling off, correct.

10 Q. Right. So they are --
11 broadly speaking, they are all confirmatory of one
12 another, yes?

13 A. Yes.

14 Q. Broadly speaking, right?

15 A. Yes.

16 Q. In talking about the
17 investigatory levels. I think everyone agrees,
18 Dr. Flintsch agrees with this, I think -- with you
19 that a jurisdiction shouldn't just import a
20 friction management program including
21 investigatory levels, holus bolus from another
22 jurisdiction for the reasons that you say, I
23 think, and in your report that you talked about
24 this morning.

25 And if I could summarize it,

1 it's because there are issues about -- I think you
2 talked about the axel loads and so forth is one
3 thing and the amount of polishing that can occur,
4 but also it's set by what is attainable in a
5 particular jurisdiction based on things like mix
6 design and available aggregates, right?

7 A. This is correct.

8 Q. Because there's no point
9 mostly -- mostly aggregates are sourced within the
10 jurisdiction because --

11 A. Of course.

12 Q. -- except here when they
13 came from Quebec, but close enough.

14 So they -- because there's no
15 point in setting an investigatory level which is
16 too high for the aggregates that are available for
17 use in your jurisdiction.

18 A. That would be correct.

19 Q. And part of it is also --
20 I mean, maybe you can obtain it but not at a
21 reasonable cost, fair?

22 A. Yes.

23 Q. And there's always a cost
24 benefit analysis. I mean, it's like no road is
25 completely safe, there's always going to be some

1 collisions, so the question is what is the
2 acceptable level.

3 A. Correct.

4 Q. But then you go past that
5 to say that there's no relevant or use whatsoever
6 to the UK guidelines in Ontario. That's what I
7 take you as saying ultimately.

8 A. I'm saying, ultimately,
9 that the guidelines should not be used in the
10 context of Ontario conditions without validation.
11 I'm not saying there's no value whatever. I'm
12 simply saying the structure is there but this --
13 taking them and applying them is not good piece of
14 engineering.

15 Q. Okay, but there's two
16 things here. One is -- and I think we're
17 completely agreed on this -- that Ontario
18 shouldn't just be taking the UK --

19 A. Agreed.

20 Q. -- and demand categories
21 and just using them here. I think we agree on
22 that.

23 A. Okay.

24 Q. Where we may have some
25 disagreement, and I think your disagreement with

1 Dr. Flintsch is whether or not anything can be
2 taken from those in a particular -- from the UK
3 standards in a particular instance. And I take
4 you as saying, and please correct me if I'm wrong,
5 that they cannot be used in any way in a
6 particular instance?

7 A. Yes, I guess so. Yes.

8 Q. Okay. One thing I would
9 think you would have to agree with, talked about,
10 is -- one thing you UK guidelines recognize are
11 the importance of friction demand categories where
12 -- right? Where different investigatory levels
13 are applied based on, number one, the type of
14 facility, right?

15 A. Correct.

16 Q. And second, on geometric
17 factors?

18 A. Correct.

19 Q. So the idea again of,
20 however defined, tighter radius curves, for
21 example, right?

22 A. Yes correct.

23 Q. And approaching
24 interchanges. Those are all part of the analysis
25 right? Okay. And the next part of your issue

1 with the grip tester results by Tradewind is the
2 correlation that you discussed earlier. And what
3 you said this morning was that the Tradewind data
4 is of some value. I think you said you might take
5 this data, you got the time, and go into the field
6 and see if there might be a reason for the numbers
7 getting higher and lower and that first you were
8 talking about the higher numbers that were in the
9 50s on the LINC?

10 A. Correct. There's an
11 obvious difference so go find out why.

12 Q. Right. Why is that,
13 okay. So you then come to the conclusion that,
14 okay, that's the LINC, it's a different pavement.
15 Okay. But then you also referred to a couple of
16 spots in their 20s and -- that you can't tell if
17 that's concerning without going into the field to
18 see if there's anomaly with testing or different
19 texture surface pavement and so forth. But you're
20 talking about an investigation, or part of one,
21 right?

22 A. Of course, yes.

23 Q. And that's part of it.
24 And part of it would also be even if it's not you,
25 it's a traffic -- maybe you enlist a traffic

1 safety person but to go and say, hmm, are some of
2 these places where we've got it in the 20s, high
3 20s or low 30s, remembering it's a grip tester,
4 not a locked wheel tester, in the low 30s that --
5 and forgetting about the investigatory levels.
6 But you see that those where they are and you look
7 around and go, oh, wait, this is where the
8 geometry is tight, if I can put it colloquially.
9 That might be something you're also looking at,
10 whether it's you or a traffic safety person?

11 A. Correct.

12 Q. And the reason I just
13 flag the grip tester being different than the
14 locked wheel tester is directionally you know that
15 a grip number obtained from a grip tester is
16 directionally going to be higher than a locked
17 wheel tester results?

18 A. Correct.

19 Q. So you know when you're
20 looking at the Tradewind report -- if you go back
21 in time you know that those numbers in the 20s or
22 low 30s aren't the same as what -- they are likely
23 those are -- locked wheel tester is going to
24 return a lower number, directionally?

25 A. Possibly. Don't know for

1 sure, but possibly.

2 Q. At the time of the
3 Tradewind report, so when it's received -- the
4 testing was done in November 2013 but it was also
5 received at the beginning of 2014 -- at that point
6 that's all the friction testing information that
7 the City had in its possession apart from the 2007
8 pre-opening MTO results, right, which we can set
9 aside, right?

10 A. Correct.

11 Q. And then they also had at
12 that point the 2013 CIMA report that you talked
13 about which is only about the stretch from
14 Dartnall to Greenhill?

15 A. Correct.

16 Q. So when you state in your
17 report that the Tradewind report and the Golder
18 report at that time, that you're talking about in
19 relation to those and the remedial --
20 investigation recommendation and the remedial
21 measures talked about, but when you say that those
22 were acceptable in 2014 and they had levelled off
23 by then. The acceptable, first of all, you're
24 talking about is in relation to FN30 and the --

25 A. Correct.

1 Q. -- results which the City
2 didn't have, right?

3 A. Hm-hmm.

4 Q. That's a yes?

5 A. Yes, correct.

6 Q. So in hindsight you can
7 say that, but you certainly couldn't have said
8 that at that time if in you were in receipt of the
9 report in the possession of the City?

10 A. And they had no test
11 results from MTO, then correct.

12 Q. That's right, they did
13 not. And also the City didn't know at the time
14 that any friction -- that the friction had
15 levelled off because they didn't have any other
16 information, right?

17 A. Understood.

18 Q. So in that context I
19 would like to put to you in there -- I know it's
20 hard to do but you did talk about it so I'm going
21 to ask you to do it.

22 In that context of receiving
23 the Tradewind report and not having any of the
24 other information except the 2013 CIMA report on a
25 partial part of the highway, I suggest that if you

1 had received it, the Tradewind report and the
2 Golder report, at that time with all the
3 information you had, you would have done -- you
4 would have recommended commencing an
5 investigation, as Tradewind recommended, some sort
6 of investigation -- you already said some of it
7 would have triggered you to that -- or in addition
8 to that you would have recommended an
9 investigation involving additional friction
10 testing using the locked wheel tester that you
11 were familiar with.

12 A. Agreed.

13 Q. Thank you. What you
14 would not have done was ignore the Tradewind
15 report if that was the only friction information
16 that you had?

17 A. As I stated, there's
18 value to the information because you've got it at
19 a fairly high frequency and relative differences
20 mean something.

21 Q. Right. Moving back now
22 to you and Dr. Flintsch to present day.

23 You discussed about your
24 issues with the conversion, and I get that and --
25 I don't get the math so -- I'm an English major, I

1 don't get the math.

2 A. Understood.

3 Q. You were discussing about
4 the issue, about it being simple, his approach, or
5 simplistic and so forth. I'm actually not getting
6 into whether it's critical or not. But you were
7 talking about him basing the reliability of his
8 conversions from grip number to FN90, him
9 confirming the results of that as being generally
10 in line with one another. And that's what you
11 were taking issue with when you referred to it as
12 simplistic, the confirmatory nature of it, right,
13 and you're maybe it's just luck?

14 A. That's my -- exactly,
15 correct.

16 Q. But -- and you said that
17 the conversion itself was -- and this is where
18 it's beyond me but -- mathematically valid --

19 A. Correct.

20 Q. -- but dangerous to
21 assume that it would be correct in all situations?

22 A. That's correct.

23 Q. Right. But -- so all --
24 this isn't all situations though. So no one is
25 suggesting that this conversion should, without

1 further testing and so forth as you described,
2 that it should be relied on in other situations,
3 that you just take this conversion and use it in
4 another circumstance. No one is suggesting that.

5 In this circumstance, though,
6 would you not agree -- if you don't, you don't --
7 but would you not agree with me that whether it's
8 applicable in other situations, if you do the
9 conversion and -- as we've already discussed all
10 of the results are pretty consistent with one
11 another, doesn't that suggest that maybe it
12 doesn't matter, doesn't that suggest the
13 conversion is reasonably accurate?

14 A. If you apply this to
15 conversion, which we did, it takes you back to an
16 FN number that's greater than 30, which means in
17 accordance with MTO it's not considered to an
18 investigatory requirement but --

19 Q. Except when it's below?

20 A. -- except where it's
21 below, and the use of that GN number to apply the
22 UK guidelines would strongly suggest that you need
23 to be doing an investigatory action. So I look at
24 the two and say well, if you use it here in
25 Ontario and you rely on it you're not going to

1 have to do anything, and if you use -- if you
2 don't use it, you use the UK guidelines in that
3 respect, then you're going to have a significant
4 problem and you're going to need to do an
5 immediate action. So it's giving you two answers.

6 Q. Well, no because --

7 A. -- giving two --

8 Q. -- investigatory level.

9 You don't have to take any action. All it means
10 is that, like Tradewind, you should investigate
11 this, which isn't all that different than -- if
12 I've understood you correctly if you're applying
13 an FN30 level over 500-metre stretches you have to
14 look at those stretches, right?

15 A. It's recommended that you
16 look at those stretches. It doesn't mean you got
17 to.

18 Q. Fine, but you're the one
19 that's saying that's what we need to apply. But
20 what's adequate in Ontario is FN30, like the MTO
21 says, and if that's the standard you're using you
22 kind of got to go with that, don't you?

23 A. And I said I would go and
24 look at it, correct.

25 Q. Great, thank you.

1 Last thing I want to talk -- I
2 just want to come back to the friction is a
3 contributory factor, and I don't want to make too
4 much, I just want to make sure I understand what
5 you were saying.

6 You said you agree with Dr.
7 Flintsch that you can't rank causes generally,
8 right? And the four particular categories which
9 -- and those categories are inter-related, I think
10 you would agree, right?

11 A. Agree.

12 Q. You can't look at them in
13 isolation because they all go together.

14 A. Right.

15 Q. And you refer to the wet
16 road collision proportion in 2015 that CIMA
17 reported on, which is 50 percent on the --

18 A. Right.

19 Q. -- that that was high
20 compared to what would be expected, and you said
21 you would expect between 20 and 40 percent, 50 is
22 higher than I would expect, a bit on the higher
23 side. That's what you said?

24 A. Correct.

25 Q. And you've also reviewed

1 CIMA's January 2019 roadside safety assessment,
2 right?

3 A. Correct.

4 Q. And that gave the wet
5 road collision proportion as 64 percent for the
6 Red Hill mainline and up to 88 percent between
7 Greenhill and King and between King and Queenston,
8 right? And I assume you're aware that those are
9 also, broadly speaking, the areas where tightest
10 horizontal curve alignment and is the closest
11 exchange and ramp spacing is?

12 A. General, correct.

13 Q. So if 50 percent
14 proportional of wet road collisions is a bit on
15 the higher side for you, I take it these are
16 proportions that are well over a bit on the high
17 side?

18 A. Agreed.

19 Q. Very high, right?

20 A. High, correct. Agreed.

21 Q. 88 percent, very high?

22 A. 100 percent is very high.

23 Q. That's true. But it's
24 not. 88 percent is pretty high.

25 A. All right.

1 Q. And I suggest that when
2 you're looking at that and you're considering in a
3 general sense, not a specific accident
4 reconstruction sense but in a general sense, if
5 you're looking at contributory factors, while it's
6 not friction, you say it's rarely if ever but
7 predominant cause, which is also -- Dr. Flintsch
8 tell us it's that -- but that may not be the
9 predominant cause but it is a contributing factor
10 to the high wet road collision proportions; is
11 that fair?

12 A. Fair.

13 MR. LEWIS: Just one moment.
14 Thank you, I don't have any further questions.

15 So subject to any
16 re-examination or questions by you, Commissioner,
17 that is it for me. Thank you, Mr. Hein, I
18 appreciate your time.

19 MR. CHEN: Can we get 10,
20 15 minutes to reassess if I need to ask any
21 questions?

22 MR. LEWIS: You're on mute,
23 Commissioner.

24 JUSTICE WILTON-SIEGEL: Sorry,
25 I censored myself. Let's take ten minutes we'll

1 return at 10 to 3.

2 --- Recess taken at 2:41 p.m.

3 --- Upon resuming at 2:50 p.m.

4 MR. CHEN: Just a couple of
5 questions, Mr. Commissioner.

6 JUSTICE WILTON-SIEGEL: Go
7 ahead.

8 EXAMINATION BY MR. CHEN (CONT'D):

9 Q. Mr. Hein, Mr. Lewis had
10 asked you about an e-mail where you wrote to
11 Dr. Uzarowski and asked about the subject line,
12 which I think was Red Hill Valley friction
13 problem, and that was in I think January 22nd,
14 2018. At that time had you formed any conclusion
15 that there was a friction problem on the Red Hill
16 Valley Parkway?

17 A. No, I did not.

18 Q. And your evidence today
19 was that the friction values on the Red Hill did
20 not cause you any concern?

21 A. That is correct.

22 Q. Jumping around a little
23 bit. Ms. Roberts had asked you questions about
24 the use of the skidabrader and your experience
25 with airports. You recall that?

1 A. Yes.

2 Q. And you were asked about
3 whether skidabrading was used on a yearly basis
4 and I believe you said in your experience it had
5 not had been used on a regular basis and not
6 probably yearly. Do you recall that?

7 A. Yes.

8 Q. So we've heard about your
9 actual experience with skidabraders on roadways.
10 Do you recall how long that lasted, the treatment?

11 A. The treatment that I was
12 referring to on Guelph line was months.

13 Q. You talked about using a
14 skidabrader at the airport to remove rubber.
15 What's the typical use of the skidabrader,
16 airports or roadways? What is it?

17 A. It has been -- at the
18 time it was used mostly in airports because it was
19 effective at removing the rubber, but it started
20 to be used by other agencies for road -- typically
21 interstate highway type roadways.

22 Q. So is the skidabrader
23 commonly used for roadways in Ontario?

24 A. No. It hasn't been used
25 -- Ontario 407 we did some concrete work with it.

1 The stuff I mentioned in Guelph Line. I don't
2 recall it being used on any other roadways in
3 Ontario.

4 Q. Ms. Roberts asked also
5 you about the UK guideline being referenced in the
6 TAC guide from two decades ago in 1997. Do you
7 recall that? I just want to clarify a point on
8 that. Was the UK guidelines reference or referred
9 to in the subsequent versions?

10 A. No, it did not appear in
11 the subsequent versions.

12 Q. If we could pull up Mr.
13 Hein's expert report, HAM64775, image 4. Do you
14 see that, Mr. Hein?

15 A. Yes, I do.

16 Q. I want to direct your
17 attention to paragraph 8. You had a discussion
18 with Mr. Lewis about the investigatory level and
19 he had asked you about FN30 and whether remedial
20 action may be necessary. I don't think he took
21 you to your report but he was referring to that
22 first sentence there in paragraph 8 where you say:

23 "FN30 at 90 kilometres an hour
24 is greater to being acceptable
25 friction value and that

1 generally results below this
2 may merit further
3 investigation."

4 That continues to be your
5 opinion?

6 A. That's correct. In your
7 statement you mentioned that he discussed the --
8 not the intervention, the action limit or -- you
9 said something just a minute ago that warranted
10 action or warranted --

11 Q. "May merit further
12 investigation."

13 A. It's further
14 investigation. It's not required action. It's
15 simply meriting further investigation, correct.

16 Q. So if it's just under
17 30 -- you know, we looked at the ARA results and I
18 think it was 29.6 or maybe even 29.7 --

19 MR. LEWIS: I would like to
20 say something before this question is asked,
21 Commissioner.

22 This is an inquiry and we're
23 almost at the end of the evidence. I think in
24 civil litigation this would not be proper reply
25 because it's all part of the report. Mr. Hein

1 gave his initial evidence. I cross-examined on
2 the specific point. There was nothing new,
3 nothing that's the proper area of reply. I
4 appreciate there's been a different result --

5 JUSTICE WILTON-SIEGEL: Let me
6 hear the question first.

7 MR. LEWIS: Thank you.

8 MR. CHEN: Just to cut to the
9 chase, I wanted to understand and seeking some
10 clarity as to whether there's room for discretion
11 or engineering judgment that can be applied when
12 using FN30 as an investigatory limit.

13 JUSTICE WILTON-SIEGEL: I'll
14 allow that question.

15 THE WITNESS: So in my mind
16 definitely there is room that would merit
17 additional investigation provided other factors
18 were considered.

19 BY MR. CHEN:

20 Q. At the outset of the
21 examination Mr. Lewis asked you about your
22 retainer with the City in civil proceedings. Not
23 entirely certain what the objective is there. I
24 would like to clarify a couple of points.

25 You understand that you have

1 an obligation to be -- provide objective,
2 non-partisan evidence in this inquiry.

3 A. That's correct.

4 Q. And have you been
5 independent and objective in the evidence that
6 you've provided today?

7 A. Yes, I have.

8 Q. I understand you've
9 worked with John Emery for many years?

10 A. Correct.

11 Q. And you indicated before
12 there aren't many Canadian professionals with
13 expertise in pavement friction, correct?

14 A. That's correct.

15 Q. It's a small group and
16 you all tend to know each other?

17 A. I'm sure we do.

18 Q. In fact, were you aware
19 that John Emery, your former colleague, was
20 initially engaged as an expert by commission
21 counsel?

22 MR. LEWIS: I'm sorry, I'm
23 going to object.

24 JUSTICE WILTON-SIEGEL: I
25 don't understand where this is going, Mr. Chen.

1 MR. CHEN: I had understood
2 there to be a question about the independence and
3 objectivity of Dave Hein and just wanted --

4 JUSTICE WILTON-SIEGEL: I
5 don't see what Mr. Emery's prior involvement has
6 to do with Mr. Hein's independence.

7 MR. CHEN: I'm happy to move
8 on.

9 JUSTICE WILTON-SIEGEL: Thank
10 you. I think you should move on.

11 BY MR. CHEN:

12 Q. Mr. Hein, Mr. Lewis put
13 to you that if you received the Tradewind report
14 in 2014 and did not have the 2014 MTO results you
15 would have recommended some sort of investigation
16 or further -- or friction testing or additional
17 testing using the locked wheel, and I think you
18 agreed to that; is that right?

19 A. Correct.

20 Q. Just going back to that
21 first. With respect to the investigation I
22 believe you previously said that you may have done
23 a site visit to identify any causes of low
24 friction?

25 A. That's correct.

1 Q. And so not seeing
2 anything, and I don't think you indicated earlier,
3 but what would you have done?

4 A. I probably would have
5 waited until another round of testing or another
6 year and had looked to see if there are any
7 differences that occurred.

8 Q. So that takes us to 2014.
9 If you did further testing, and we know we have
10 the 2014 results, would you expect to get
11 something similar as to what the MTO would have
12 had?

13 A. Yes, I would.

14 Q. Just to reiterate, did
15 those results give you any concern?

16 A. No, they do not, did not.

17 MR. CHEN: Thank you,
18 Mr. Commissioner, those are my questions.

19 JUSTICE WILTON-SIEGEL: Thank
20 you.

21 First of all, Mr. Hein, thank
22 you very much, you're excused. Before you go,
23 though, I want to thank you for your report for
24 the time you put in it and the time you spent
25 today in giving your testimony. It's much

1 appreciated and very helpful to the inquiry.

2 THE WITNESS: Pleasure to be
3 of assistance.

4 JUSTICE WILTON-SIEGEL:

5 Secondly, let me then just recap for everyone's
6 benefit where we are, as I understand it.

7 We've now concluded the
8 evidence in Phase 2 of the public hearings, and I
9 guess I should say I want to publicly thank again
10 the participants and the experts for their
11 evidence in this stage.

12 My understanding is commission
13 counsel will determine if there's any further oral
14 or affidavit evidence to be received in public
15 hearings as a result of documents that the inquiry
16 has received since the conclusion of Phase 1, but
17 I don't anticipate -- I don't think it is
18 anticipated by anyone that there would be further
19 oral testimony at this time.

20 The next stage then will be
21 three days of oral submissions that are scheduled
22 for March 22 to March 24. These will be
23 live-streamed, as have the public hearings to
24 date. In advance of these oral submissions, the
25 inquiry is to receive written closing submissions

1 from the participants by March 10. We regard that
2 as a hard date which will be -- and those
3 submissions will be posted on the inquiry's
4 website.

5 I want to invite, and I should
6 stress, that if any other member of the public who
7 wishes to file a submission may do so provided
8 they respect the March 10th date as well.

9 So with that, I thank
10 participants and the commission counsel for their
11 work in Phase 2 and we will stand adjourned now
12 until 9:30 on March 22nd.

13 --- Whereupon at 3:02 p.m. the proceedings were
14 adjourned until Wednesday, March 22, 2023 at
15 9:30 a.m.

16

17

18

19

20

21

22

23

24

25